

Case: State of Missouri v. Darren Wilson

Transcript of: Grand Jury Volume XX

Date: November 6, 2014

This transcript is printed on 100% recycled paper



515 Olive Street, Suite 300
St. Louis, MO 63101
(314) 241-6750
1-800-878-6750
Fax: (314) 241-5070
Email: schedule@goreperry.com
Internet: [<<www.goreperry.com>>](http://www.goreperry.com)

STATE OF MISSOURI

VS.

DARREN WILSON

GRAND JURY

November 6, 2014

VOLUME XX

1 APPEARANCES OF COUNSEL:

2

3 FOR THE STATE:

4 Ms. Kathi Alizadeh & Ms. Sheila Whirley

5 Assistant Prosecuting Attorneys for St. Louis

6 County

7 100 South Central Avenue, 2nd Floor

8 Clayton, MO 63105

9 (314) 615-2600

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 GRAND JURY HEARING VOLUME XX

2

3 MS. ALIZADEH: Good morning. It's
4 Thursday, November 6th, 9:22 a.m. This is Kathi
5 Alizadeh, present is also Sheila Whirley, all 12
6 grand jurors are present as is , the court
7 reporter.

8 We had several minutes of discussion
9 before going on the record this morning. A lot of
10 it was to do with, some of it was to do with
11 scheduling of what we thought we had is still left
12 to do. And I hope I answered your questions about
13 that.

14 There was also talk about some concerns
15 for safety and we talked about that and I will get
16 you some information that I promise to get you.

17 And then we also talked about some
18 additional things that you all wanted us to try to
19 obtain to help you with your decision. And Sheila
20 has made a list of those things, so we'll get what
21 we can and if we can't, we'll tell you why we can't
22 get it.

23 So with that, we have our first witness
24 today is going to be You have already
25 heard from him, but he has some additional

1 information that he did not testify about when he
2 was here previously that, of course, if you recall
3 was I think the second day that you guys, might have
4 been the second or third day that you guys were
5 hearing evidence on this case. So I'll go get Dr.

6
7
8 of lawful age, having been first duly sworn to
9 testify the truth, the whole truth, and
10 nothing but the truth in the case aforesaid,
11 deposes and says in reply to oral
12 interrogatories, propounded as follows, to-wit:

13 EXAMINATION

14 BY MS. ALIZADEH:

15 Q Good morning. Can you state your name
16 again and spell it for court reporter.

17 A My name Dr. . And that's

18 .
19 (Grand Jury Exhibit Number 5
20 marked for identification.)

21 Q (By Ms. Alizadeh) And, Dr. , thank
22 you for coming back. I know we had you in grand
23 jury several weeks ago and you were here for quite
24 some time on the stand, and I don't think we're
25 going to need to have you here quite as long today.

1 But I did mention to you that previously you had
2 provided me with your most recent curriculum vitae.
3 I had marked that Grand Jury Exhibit Number 5. I
4 forgot about putting that on when he was here
5 previously. So can you identify that as the CV that
6 you gave me prior to your testimony earlier?

7 **A** Yes, this is the CV that I presented to
8 you.

9 **Q** Okay. And I'm going to make copies of
10 this for everybody. I, again, just kind of forgot
11 about this. I will get copies for everybody of
12 that.

13 Dr. we spoke last time
14 about how when the body or the remains of Michael
15 Brown were brought to the morgue and how he was in
16 a, is it a body bag is what you call it?

17 **A** Yes, body bag.

18 **Q** And that was like at the crime scene by
19

20 **A** Correct.

21 **Q** And then it's, the body was placed into a
22 drawer and then later removed by an employee of the
23 medical examiner's office?

24 **A** Correct.

25 **Q** And who was that?

1 some of the morgue photos?

2 **A** Yes, he does.

3 **Q** And you testified that it's procedure for
4 someone such as to check the personal items
5 that might be on the body, any body that is received
6 by the medical examiner's, correct?

7 **A** That is correct.

8 **Q** And then once the personal items are
9 removed, are they photographed?

10 **A** Yes, they are.

11 **Q** All right. So previously I had introduced
12 or offered or identified for the grand jurors a
13 packet of photographs which I marked as Number 9,
14 which are, I call the morgue photos. Those are
15 different than the photos that the police took
16 during the autopsy; is that right?

17 **A** Correct.

18 **Q** Okay. And so I'm going to hand you a
19 photo which was, is in the packet of Grand Jury
20 Exhibit Number 9, and this is, on the back it says
21 looks like, well, it says 039CD. I'm not sure if
22 that's the JPEG number or not. But I'm going to
23 just show you where I'm reading 039CD on the back
24 there. And then I'm going to show you this photo.
25 Does that look like, do you recognize that as one of

1 the photos that was taken?

2 **A** Yes, I do.

3 **Q** Okay. And the items in this photo would
4 represent the items that were on the person of
5 Michael Brown when it was received by the Medical
6 Examiner?

7 **A** Yes, they are.

8 **Q** Now, this blue thing right here, was
9 that --

10 **A** That's on the body bag that locks the
11 zipper.

12 **Q** Is that placed in the photograph to
13 further identify who these items belong to?

14 **A** Yes, it is.

15 **Q** And then there's a placard that says St.
16 Louis County and a number beneath it?

17 **A** Yes, there is a number.

18 **Q** And I assume that was not on his person,
19 correct?

20 **A** No, it is not.

21 **Q** And is this number the Medical Examiner's
22 number?

23 **A** That's the Medical Examiner's number.

24 **Q** Okay. I will go ahead and put this on
25 here, and I will pass it around too. But you see in

1 this photograph two, 5 dollar bills, two, looks like
2 disposable lighters, some paper that has some
3 writing on it, and then this item that you talked
4 about right here. Is that the lock that came off of
5 the body bag?

6 **A** Correct.

7 **Q** Okay. And this is your placard right
8 here, correct?

9 **A** Yes, correct.

10 **Q** And this looks like part of a wrapper for
11 something?

12 **A** Correct.

13 **Q** And then what is this item right here?

14 **A** Leafy green substance.

15 **Q** Okay. And these items were all found by
16 on the body?

17 **A** Correct.

18 **Q** Now, Dr. you talked about when
19 you were here previously that during your
20 examination you looked at an injury or wound on the
21 palm of the right hand of Michael Brown. Just, I
22 can't remember how, is this --

23 **A** It is on the palm.

24 **Q** On the palm?

25 **A** It is the palmer surface on the hand.

1 **Q** Okay. Near the right thumb or thumb?

2 **A** Right, correct.

3 **Q** And you indicated or you testified last
4 time that you thought that that looked suspicious
5 for possible soot or something that you wanted to
6 examine further?

7 **A** Correct.

8 **Q** And you also testified back then that you
9 had cut a piece of that tissue off of the body for
10 you to then later examine; is that right?

11 **A** Correct.

12 **Q** So I know you've already testified about
13 that, but we, I want to go into a little more detail
14 because since you were in grand jury, you've
15 completed a report about your examination of that
16 tissue, correct?

17 **A** Correct.

18 **Q** And so once you cut that tissue out
19 because you and I talked about this in a little
20 greater detail since you were here previously, once
21 you cut that tissue out, what did you do with it?

22 **A** Okay. So anything that I have on the body
23 that I'm concerned about that I would like to
24 perform histology on, which I will get to in a
25 second. I take that fresh tissue, I mean, it hasn't

1 been altered or anything, it hasn't been washed, it
2 hasn't been manipulated. I take those pieces of
3 tissue and I put them in a cassette. The cassette
4 is simply a plastic chamber that holds the tissue so
5 that it doesn't get lost or moves around and it
6 stays positioned how it's put when I put it in the
7 gray cassette.

8 From that point I take that gray
9 cassette and I put it in another container and it is
10 filled with a fluid called formalin. Formalin is
11 simply a preservative that gets the tissue in a
12 state of preservation where it can now be prepared
13 for the next step of processing.

14 So when I took the piece of tissue
15 off to put in a cassette and I put it in formalin
16 for later processing, that's what I did initially.

17 **Q** So did you do with then, is it still in
18 this cassette then?

19 **A** Yes, the tissue remains in that cassette
20 in that fluid until it is transported to another
21 facility where they will process the tissue.

22 **Q** Okay. And so where does the tissue go
23 from there?

24 **A** So after it leaves my position at the St.
25 Louis County Medical Examiner's Office, it is then

1 sent to the St. Louis University Medical
2 School/Histology Department where it will be
3 processed.

4 (Grand Jury Exhibit Number 75
5 marked for identification.)

6 **Q** (By Ms. Alizadeh) And I showed you this
7 morning some photographs that I have put in an
8 envelope marked Grand Jury Exhibit Number 75. And
9 do you recognize what's in those photographs?

10 **A** Yes, I do recognize what is in these
11 pictures.

12 **Q** And what are those pictures of?

13 **A** The pictures that I'm looking at right now
14 are the samples of tissue that I took from
15 Mr. Michael Brown's right hand that I put in that
16 formalin liquid that I spoke with you earlier,
17 transported it to the St. Louis Medical School for
18 Histology and then it is processed. That tissue is
19 then put in a wax to keep everything positioned and
20 that's what I'm looking at right now.

21 **Q** Okay. So this is after the medical school
22 has put the tissue in a wax?

23 **A** Correct.

24 **Q** And so you've seen this before?

25 **A** Yes.

1 **Q** Not only these photographs, but this would
2 be how you would preserve and process any tissue
3 sample that you might want to look at
4 microscopically?

5 **A** Correct.

6 **Q** And so I'll just show you, this is image
7 number one and again these, this box here, is that
8 the cassette or is that a box?

9 **A** It could be either/or. It could be
10 representative of the cassette or something else
11 that they used.

12 **Q** So these reddish, beige-ish brown things
13 inside, that's the actual tissue?

14 **A** Yes.

15 **Q** Okay. And these are numerous photos of
16 the same tissue; is that correct?

17 **A** That is correct.

18 **Q** And there's a ruler next to the box that
19 kind of gives you perspective on the size, correct?

20 **A** Correct.

21 **Q** Do you weigh these tissue at all?

22 **A** No, I do not.

23 **Q** Okay. And there's markings on the side of
24 that box, did you make those markings?

25 **A** No, I did not.

1 **Q** You recognize these as the tissues that
2 you had removed?

3 **A** Yes, I do.

4 **Q** Okay. And I just put on the overhead
5 Grand Jury Exhibit Number 1 through 5 to show the
6 grand jurors.

7 Now, we see this is a larger kind of
8 tray, do you know what that is?

9 **A** It was just a tray for transportation
10 purposes.

11 **Q** Okay. All right. So once the medical
12 school histology lab places this tissue in paraffin,
13 what does it do then to prepare it for examination?

14 **A** So after the tissue is put in that wax
15 substance that you saw, that's the paraffin
16 material, at that point, that block of tissue is now
17 taken to be sliced with a microtone blade, which is
18 a very sharp blade that slices very thin segments,
19 about five micrometers, which is very thin, pieces
20 of wax tissue with the tissue embedded.

21 That slice is then put on a glass
22 slide and it is then counterstained by adding a pink
23 solution and a purple solution. One is called eosin
24 and the other is called hematoxylin. And then that
25 slide now has been prepared where I can look at it

1 under my microscope and examine it at a histological
2 level.

3 Q Did you ultimately receive some slides
4 from the medical school that were actual, this
5 tissue that you had removed from Michael Brown's
6 right palm?

7 A Yes, I do.

8 Q Okay. Did you examine those slides under
9 a microscope?

10 A Yes, I did.

11 Q And again, you've already testified about
12 this, but I'm going to, did you take photographs of
13 those slides as well?

14 A I did take some photographs of the slides.

15 Q Okay.

16 A Or representative photos of some of the
17 pieces. It is not everything.

18 Q And you know, Dr. , I didn't have
19 the opportunity to show you this this morning
20 because by the time you got here, the grand jurors
21 were already here. So I'm going to show you a
22 photograph that's on Disc Number 79, Grand Jury
23 Number 79, which actually just to tie things in, did
24 you later time send some of those photographs and
25 the actual slides to the Department of Defense

1 Medical Examiner for them to also examine?

2 **A** Yes, I did.

3 **Q** Okay. And we're going to hear from that
4 doctor after we hear from you. Actually, just have
5 to ask you if you recognize this picture?

6 **A** Yes, I do.

7 **Q** You recognize what you are seeing up
8 there?

9 **A** Yes, I do.

10 **Q** And so is this a picture of what you would
11 see in the microscope when you were examining that
12 tissue?

13 **A** Yes.

14 **Q** And does this picture help you to explain
15 to the grand jurors what you are looking at when you
16 previously testified that you saw some particulate?

17 **A** Just to take a step back and just to
18 preface again, this is just, there are a lot of
19 pieces of tissue that you saw on that paraffin
20 embedded block. So when you take a slice of that,
21 all of those slices are represented on one slide.

22 So what you are looking at right now
23 is just a small corner of one of those tissue
24 fragments. So we are not looking at all of those
25 slices at one time.

1 So this is merely just a corner or a
2 piece of some of that tissue.

3 **Q** You can use this if you feel it will help
4 you. It will pick up your voice.

5 **A** All right. So for histology, what I was
6 telling you about the colors and the purple and the
7 pink, once that waxed tissue is stained, it give us
8 this pinkish color and some of these things here is
9 the purple color. I spent a lot of time knowing
10 what each thing is, I will just try to keep it as
11 simple as possible.

12 So you are looking at the edge of the
13 tissue and the tissue that I took was from that
14 wound that I passed around and showed up before. I
15 took little samples of those and those were
16 represented in the paraffin block that I showed you.
17 This is the histology.

18 So here, this is just regular, you
19 know, normal tissue of the hand, this pinkish
20 material, but here you can see these little darker
21 areas, these little pigmented flakes, these are the
22 foreign particulate matter that I was talking about
23 that is not native to his hand. It had to be
24 introduced into his hand from another source and
25 that's some of those particulate matter that I was

1 talking about previously. Like that right there,
2 that right there, that right there. (indicating)

3 As I said before, this is merely just
4 a representation, there are other areas on this
5 slide, but this is just a representative of some of
6 that matter that I saw.

7 **Q** And so you have already testified that in
8 your opinion those particulate, the particulate?

9 **A** Particulate matter.

10 **Q** Matter, that is consistent with soot?

11 **A** I would say it is consistent with products
12 that are discharged from a firearm. What I was
13 telling you guys before, there is lots of things
14 that can come out of a firearm. Remember I was
15 telling you guys talking about the primer, the
16 primer on the cartridge is the combustible material
17 that ignites that, then lights the gunpowder, which
18 then propels the bullet. All of those substances
19 together are kind of coming out of that barrel.

20 So the definition of soot is actually
21 burned gunpowder. So there can be different types
22 of things in there. You can have burned, you can
23 have unburned, you probably could have primer, have
24 lots of different types of materials.

25 For myself, a better way to say it is

1 this is just foreign particulate matter consistent
2 with products that can be discharged from the
3 firearm.

4 So soot could be in there, I mean,
5 it's a lot of material.

6 Q Now, did you do any testing to determine
7 if that is, in fact, product from a gunshot?

8 A No, I did not do any specific testing for
9 that.

10 Q Do you do gunshot residue testing in your
11 lab?

12 A In my area, I do not do that.

13 Q Okay. And, Dr. , could those
14 black or darker particulate matters that we can see
15 on that image on the slide, could that be dirt?

16 A In my personal opinion it is not.

17 Q Okay.

18 A But it is due to the circumstances and
19 things that I know about in this case why I feel
20 that that's not dirt.

21 Q And what would those circumstances be that
22 you feel that it's not dirt?

23 A So, got to kind of follow me here, okay.
24 When I get the body, I don't alter it, you know, I
25 don't wash it, I don't do anything to it if there is

1 something of interest that I'm trying to see.

2 So in this particular situation when
3 I look at some Michael Brown's hand there was kind
4 of an area of discoloration in his thumb area on his
5 right hand that I was concerned about that this
6 could be some type of material discharged from a
7 firearm.

8 To myself in looking at it, it is
9 kind of like an experience thing, when I was looking
10 at it, just the color of it, the nature of it, it
11 didn't look like dirt to me. Specifically right
12 near the wound, when I think about dirt, we have all
13 been children or working on things that you have
14 dirt, like dirt is all over your hands, it is not
15 like in one little particular corner.

16 So essentially it was associated with
17 that particular area and it just looked different to
18 me. I did not think that this was dirt and that's
19 why I decided to take the next step to look under
20 the microscope to confirm what I was looking at
21 through my eyes.

22 So that the next step was doing
23 histology. So when I did this and I saw these
24 little molecules here, the fact that they are very
25 darkly pigmented, they are embedded in the tissue,

1 it is hard for dirt to introduce itself into tissue.
2 Dirt usually sits on top of things, it doesn't get
3 into things.

4 So the fact that this is in the
5 tissue, that let's me know that it had to be
6 introduced into the tissue and that is going to be
7 more consistent with products that are going to be
8 discharged from a firearm that would be able to
9 insert itself or get itself into those tissue. So
10 that's why I feel this is not dirt, and this is
11 particulate matter from the discharge from the
12 firearm, if that makes sense.

13 Q And, Dr. , we talked about the
14 fact that, you know, you've already testified about
15 what the immediate affects would have been to
16 Michael Brown once the gunshot wound that entered
17 the top of his head and traveled through his brain
18 and exited out by his jawline, somewhere around
19 there, that that was actually, would have
20 immediately rendered him incapacitated?

21 A Right. And just to back up, the wound
22 that was going to be the more incapacitating, you
23 know, the one that actually went in the top of the
24 head and stayed in, there was another one that came
25 over the brow that came out of the face, but the one

1 that went in and stayed in, that's the one that was
2 going to render him immediately unconscious.

3 Q And a person who was still perhaps on his
4 feet who would receive that wound would immediately
5 fall?

6 A Correct.

7 Q And would they be able to put their hand
8 out to brace themselves for a fall?

9 A No.

10 Q I don't know if you've seen those
11 photographs at this time. These were images that
12 were taken at the crime scene by Detective
13 and they are contained in a packet that I've
14 marked Grand Jury Exhibit Number 3. And I'm going
15 to show you Image Number 70 through 75, which are
16 pictures of Michael Brown at the crime scene. Can
17 you see the hand area that you were looking at
18 during your autopsy?

19 A Yes, I can.

20 Q And if you can look at various pictures
21 because it might show different views of that. His
22 right hand is alongside his body, a little out from
23 the body, but his palm is facing upward in those
24 photographs; is that right?

25 A That is correct.

1 **Q** So he's not, the injured area is not
2 against the pavement?

3 **A** No, it is not.

4 **Q** All right.

5 MS. ALIZADEH: Does anybody want to look
6 at these if I pass them around?

7 **A** And it is showing the hand is exposed to
8 air and not the ground. So it is not touching the
9 ground, it is touching the air. You should be able
10 to see the darkened area on the skin, that's that
11 wound of the hand that I testified about earlier.

12 **Q** (By Ms. Alizadeh) Thanks, you can have a
13 seat.

14 Dr. , did you prepare a
15 supplemental microscopic examination report
16 regarding your findings after you examined the
17 slides that were prepared by the medical school?

18 **A** Yes, I did.

19 (Grand Jury Exhibit Number 77
20 marked for identification.)

21 **Q** (By Ms. Alizadeh) And is Grand Jury
22 Exhibit Number 77, is that a copy of your report?

23 **A** Yes, this is a copy of my report.

24 **Q** Okay. Just could you read that and
25 interpret, I don't know, tell us what it says

1 because --

2 **A** I will try my best. So start from the
3 very beginning, upper left-hand corner you have
4 Michael Brown and then upper right-hand corner you
5 have our case number 2014-5143, exam case. And then
6 it is headed as Supplemental Microscopic Examination
7 Report.

8 Then the next line is, Microscopic
9 Slide Examination.

10 And then the next paragraph, that's
11 where I start to begin to describe some of the
12 particular features that I'm seeing on the slides
13 that were prepared of Mr. Mike Brown's gunshot wound
14 to the hand.

15 So starting with skin and muscle I
16 say, sections of the tissue from the right hand show
17 multiple fragments of skin and single fragment of
18 skeletal muscle.

19 What I was telling you before there
20 is all of those little bits of tissue there, there
21 is different fragments there and then one of those
22 pieces was a piece of muscle, but it is not
23 represented on what you just saw there. That was
24 just one of the pictures and there is lots of things
25 to look at, but that's in totality what I'm looking

1 at.

2 So all of those fragments in that
3 tissue block I looked at each individual one of
4 them, every little corner under the microscope, so
5 that is what that first sentence means.

6 Next, I say there is a darkly
7 pigmented foreign particulate matter present on the
8 superficial surface.

9 So that dark material that you guys
10 saw, that is more in a deeper layer of the tissue,
11 but on the top. Like if you think of the top of a
12 cake, some of that similar type of material is
13 sitting on the superficial, that means the top
14 portion of some of those skin fragments.

15 And the stratum corneum, on your hand
16 skin has different layers. On your palmer skin, you
17 have a very thick layer called stratum corneum, it
18 is kind of the more superficial layer of your skin.
19 It is kind of like where your skin eventually sheds
20 and it's kind of similar to what dandruff is. That
21 is what the stratum corneum is.

22 So that particular layer there is
23 pigmented material embedded within that superficial
24 layer.

25 As I was telling you before,

1 something has to introduce or cause those little
2 particles to get pushed into that particular area,
3 and that's what I'm talking about right here.

4 Also embedded within the stratum
5 corneum of the skin fragments. A mixture of
6 pigmented and non-pigmented foreign particulate
7 matter is present focally within the dermis.

8 So when I was showing you that
9 previous picture, there was pigmented material, that
10 was the darker material and there was some, some
11 things a little white in there, kind of shiny,
12 that's the non-pigmented material. So there is a
13 spectrum of items within the skin and that pink area
14 that I was showing you, that's the dermis.

15 So when you have skin, you have the
16 stratum corneum, you have a granular layer, beneath
17 that you start to get into your connective tissue
18 layer of your skin, which is the next layer beneath
19 and that's where you will kind of see pinkish
20 tissue, that's the dermis.

21 So in the dermis, deeper into the
22 tissue below the skin, you still have those little
23 foreign particulate matter introduced into the
24 tissue.

25 So some of the skin tissue fragments

1 within the skeletal muscle tissue fragment. So as I
2 was telling you before, in all of those little
3 pieces that I had there were different types of
4 tissue, I had a piece of skeletal muscle. Skeletal
5 muscle is even deeper than the skin, deeper than the
6 dermis and the next layer will be muscle.

7 So deep in that tissue injury I took
8 some muscle out too. The muscle that I looked at
9 under the scope also had some of that foreign
10 particulate matter. So this matter, this foreign
11 material was getting embedded deep into the tissues.

12 Some of the non-pigmented particulate
13 matter is polarizable. All that means is that when
14 you polarize something, polarize means basically
15 reflecting light. So there is some of the material
16 that's in there is able to reflect light and some of
17 the material doesn't. It is kind of a nonspecific
18 thing, but it is important for me to describe
19 everything that I'm seeing to let, you know, let
20 people know that there is a mixture of things in
21 here.

22 But some reflect light, some don't.
23 The previously described particles of foreign
24 particulate matter are consistent with the products
25 that are discharged from a barrel of a firearm.

1 That last statement is simply meaning
2 that the things that I'm seeing under the scope in
3 my opinion are being introduced into the hand as
4 foreign material coming from another source. And
5 that other source, in my opinion, what I'm seeing is
6 consistent with coming from the barrel of a firearm,
7 not being dirt introduced from another place, but
8 specifically coming from something else due to the
9 nature of the particles, how they're distributed in
10 the skin, where they are distributed in the skin,
11 and how they got into those particular levels.

12 **Q** Dr. , you previously testified
13 about how close the barrel or the muzzle or the end
14 of the barrel of a firearm would need to be deposit
15 those type of particulate or those products once the
16 firearm is discharged.

17 Can you give us an estimate or a
18 range as to how far away the barrel would have been?

19 **A** In my opinion, the range would be about 6
20 to 9 inches away.

21 **Q** Okay. Could, do you have a terminology
22 that you use such as contact, close contact, medium
23 range or something of that nature?

24 **A** I would say this is consistent with a
25 close range wound.

1 **Q** Okay. Now, contact wound that would be
2 with someone actually, the barrel could possibly be
3 touching the skin; is that right?

4 **A** Correct.

5 **Q** Could you, if the barrel was touching the
6 skin, would it look the same or different?

7 **A** It would look different.

8 **Q** Okay. And so, in your opinion, is it your
9 opinion that the barrel of the gun was not touching
10 the hand of Michael Brown if, in fact, one is to
11 conclude that this is gunshot products from a gun?

12 **A** Correct.

13 **Q** That the actual barrel of the gun would
14 not have been up against the hand?

15 **A** Correct.

16 **Q** Now, let's talk about one more finding
17 that you made after you had testified previously.
18 You were given something to examine that was
19 described by our St. Louis County Crime Laboratory
20 as skin or hardened nasal mucus?

21 **A** Correct.

22 **Q** Were you aware that the St. Louis County
23 Lab had done a DNA analysis on that --

24 **A** Yes.

25 **Q** -- thing?

1 **A** Yes, I was aware.

2 **Q** Were you aware that they had concluded
3 that, whatever that thing was, contained Michael
4 Brown's DNA?

5 **A** Yes.

6 **Q** So was that thing given to you for you to
7 examine to see if you could determine what it was?

8 **A** Yes, it was.

9 **Q** Okay. And what did you do with that thing
10 in order to determine what it was?

11 **A** So as I described to you all previously
12 with those tissue fragments from Mr. Michael Brown's
13 hand, that process of taking the fresh tissue,
14 putting it in the formalin, putting it in the
15 cassettes, sending it to SLU for processing, getting
16 it sliced out of the paraffin block, put it on the
17 microscopic slide, getting it stained and then
18 coming back to me on a glass slide. That whole
19 process happened again with this extra piece of
20 tissue that I was given to look at independently.

21 **Q** And when you looked at it, did you make
22 any findings as to what that thing was?

23 **A** Yes, I did.

24 **Q** And what did you conclude that it was?

25 **A** I determined that it's a fragment of skin

1 associated with some connective tissue, that's just
2 supportive tissue beneath the skin layer.

3 **Q** Can you tell where on the body that skin
4 comes from?

5 **A** Um, not definitively.

6 **Q** Okay. Do you have an educated guess or in
7 your medical opinion, do you suspect it comes from
8 one place as opposed to another?

9 **A** Um, I guess I'll preface it with this.
10 Within a skin sample, there's a variety of cellular
11 elements meaning different types of cells that are
12 present within our bodies and, um, at times there is
13 a cell called melanocyte. It's our cell that's
14 responsible for producing pigment.

15 That particular cell when it makes
16 its pigment, it kind of gives it away to another
17 cell type, which is called a keratinocyte. In this
18 particular cell at the junction between the dermis
19 where I was telling you guys that connective tissue
20 layer is, it's at the bottom part of the legitimate
21 skin layer.

22 These two cells are kind of in
23 contact with each other and they communicate. So
24 the melanocyte makes the pigment, gives it to the
25 keratinocyte, which kind of absorbs it and then it

1 kind of allows skin to kind of display its pigmented
2 characteristics.

3 And the particular sample that I
4 received to look at independently, there are
5 keratinocytes there, but they are not picking up a
6 lot of pigment.

7 So in my personal opinion, this
8 particular skin fragment has to be from an area of
9 the skin that is not highly pigmented. There is a
10 few places on the body, especially for someone whose
11 skin is of a pigmented nature, where you can have a
12 more likely pigmented type skin.

13 And I'd like to show you, if you look
14 on the back side of my hand here, if I looked under
15 a scope on a piece of my skin under the microscope,
16 I would see more pigmented keratinocytes present,
17 but on this side of my hand it is more lightly
18 pigmented, they are not going to be as prominent or
19 being as significant.

20 So saying all of that, the fact that
21 that's specimen that I do have, there aren't a lot
22 of pigmented keratinocytes. So I suppose that this
23 fragment is coming from an area where the skin is
24 lightly pigmented.

25 Q Okay. Such as the palm of the hand?

1 **A** Correct.

2 **Q** But you can't say definitively that that
3 tissue comes from the palm of the hand of Michael
4 Brown?

5 **A** Correct.

6 **Q** And did you prepare a report that
7 documented those findings?

8 **A** Yes, I did.

9 **Q** Okay. And I will have to give you the
10 marked copy.

11 (Grand Jury Exhibit Number 78
12 marked for identification.)

13 **Q** (By Ms. Alizadeh) I'm going to give you
14 Grand Jury Exhibit Number 78. Is that a copy of
15 your supplemental microscopic examination report
16 regarding your examination of that, what we now know
17 is skin tissue?

18 **A** Yes, it is.

19 **Q** And I'm going to put this up here as well
20 so that jurors can look at it and follow along. Can
21 you read from that report and then, you know,
22 describe for them what you are talking about?

23 **A** Okay. So we'll just start from the very
24 top on the upper left-hand corner. You have Michael
25 Brown's name and then on the right-hand side you

1 have once again the examination 2014-5413. You have
2 the heading, Supplemental Microscopic Examination
3 Report.

4 Then you have Microscopic Slide
5 Examination, and then you have the body of the
6 paragraph where I preface by saying tissue fragment.

7 And then I say sections of the tissue
8 fragment from the exterior surface of the police
9 officer's motor vehicle. I say that because that's
10 where I knew where it came from, so I'm just trying
11 to give it a description so that if someone looks at
12 this later on, this kind of identifies where I got
13 the tissue from and also helps to remind me where it
14 came from.

15 Are consistent with a fragment of
16 skin overlying soft tissue and then I put in
17 parentheses connective tissue. So when I'm looking
18 at this particular fragment there is characteristics
19 of cutaneous skin that let me know histologically
20 that it is skin as opposed to something mucosal.
21 When I say mucosal, like the inner side of your lip,
22 that's an epithelial surface, meaning the outer
23 layer of cells that surfaces a lining, but it is a
24 different type of tissue being that it is mucosal.

25 The difference between mucosal and

1 actual true skin, there's something called a
2 granular layer. On the histology, and when I was
3 talking about like the stratum corneum, you have the
4 granular layer and you have another layer, you have
5 a basilar layer. All of these things are kind of in
6 a continuum.

7 A granular layer is specific to skin
8 that's on the outside of a body, it is not mucosal.
9 So this particular fragment that I'm looking at has
10 a granular layer.

11 Since I see that, that let's me know
12 that it is definitely exterior skin and that's how I
13 know it's skin. And then the next part where it
14 says overlying soft connective, the skin surface
15 sits like on the level and beneath that you have a
16 supporting layer of tissue. The supporting layer of
17 tissue is this connective tissue layer that I'm
18 talking about here.

19 Then I say there are features of
20 desiccation/drying artifact. This particular piece
21 of tissue that I had that was, you know, was sitting
22 outside on a car door for an extended period of time
23 before, let me back up.

24 Before I got a chance to put it in
25 formalin, it has been exposed to air, other type of

1 things that can cause it to dry out, that's all
2 desiccation means. It is kind of an artifactual
3 change of it drying out, not being put in
4 preservative, that would kind of halt or stop that
5 process.

6 So for an extended period of time, I
7 don't know how long it took before it got to me, but
8 those features are there, it is hardened, it dried.
9 I can appreciate those changes under the microscope.
10 Those changes under the microscope look like little
11 circles or kind of like pockets of air, kind of
12 looks like swish cheese, in a way to think how the
13 little pockets of swish cheese are. That's cause
14 the tissue has kind of been affected by these drying
15 changes and causes that artifactual change on my
16 slides, so that's what I'm talking about right
17 there.

18 Then I say there is a granular layer
19 present within the upper layer of the stratified
20 squamous epithelium.

21 So that granular layer that I just
22 spoke to you about, that's how I definitively know
23 that this is a skin sample from the outer surface of
24 the body skin surface.

25 And the stratified squamous

1 epithelium is just how I as a pathologist describe
2 this particular type of skin set. There is
3 different types, but this particular type that you
4 have on your skin is known as stratified squamous
5 epithelium, so it is just a name.

6 So focally, lightly pigmented
7 keratinocytes are present within the basal layer of
8 the stratified squamous epithelium.

9 So that's just going back to what I
10 was telling you before, you have that relationship
11 between the melanocytes, who are responsible for
12 making pigment. They give that pigment up to this
13 keratinocyte, who holds onto it and eventually over
14 time, you know, they will migrate up and disappear.

15 But this particular cell type is not,
16 it is present, but it is not overly pigmented. So
17 it was important for me to describe that to maybe
18 suggest potentially where this piece of tissue may
19 have come from and that's what I'm saying in
20 essence.

21 **Q** Okay. I'm not even going to try to
22 summarize that because I can't pronounce half the
23 things you just said. I'm passing out copies of
24 your two supplemental reports to the grand jurors
25 and at this time I don't believe I have any other

1 questions.

2 MS. ALIZADEH: Sheila, do you have any
3 questions for Dr. ?

4 Q (By Ms. Whirley) Hi, Dr. .

5 A Hi, how you doing.

6 Q Are you able to tell regarding the handle,
7 how the injury occurred. For instance, whether he
8 was grabbing the gun, shot went off and hurt his
9 hand or if he was trying to block the weapon or stop
10 him from shooting him, can you give any insight into
11 that?

12 A No, I cannot.

13 Q Why is that?

14 A Due to the nature of the wound, really all
15 the information in my report is saying is that it is
16 helping me with a distance. How far away this gun
17 was discharged when this wound was generated. So
18 other than the fact knowing it is a tangential graze
19 wound and it is a wound of close range, I can't say
20 any more about it because the information that I
21 have, that's all it is telling me.

22 . I thought
23 before, if you could remind us, do you know the
24 direction in which the wound, the gunshot would have
25 entered?

1 **A** So when I was telling you guys before
2 there's, when you get, not all the time, but with
3 graze wounds you can get injury called skin tags or
4 you get these little projections of tissue that
5 point a particular way.

6 So in a situation for Mr. Michael
7 Brown, the skin tags were going in an upward, let me
8 back up. When I do my diagram, it is like this,
9 anatomical position, you are like this. So with his
10 wound --

11 MS. ALIZADEH: Would this help? This is a
12 picture that I am using, which was taken during the
13 autopsy and it is in the packet of Grand Jury
14 Exhibit Number 7, and it is Image Number 49. If it
15 is easier, you want me to turn the lights down?

16 **A** Yeah. The tips of Mr. Michael Brown's
17 fingers will be out here. That's my hand
18 manipulating his hand. So those skin tags I'm
19 talking about kind of look like little shark teeth,
20 these are the little skin tags I'm talking about.
21 These tags are pointing this direction, they're
22 point that way.

23 So the barrel of the gun points
24 towards the tags.

25 MS. ALIZADEH: So let me do this. I'm

1 using my hand as a gun. So would the barrel be in
2 this --

3 **A** The barrel is in that position and the
4 bullet is going in that path just like that, that's
5 the way it is going. (indicating)

6 So when I put it on a body diagram,
7 I'm like this. It's going in an upwards fashion.
8 It doesn't mean it is going upwards, but that's the
9 way that I have to present it to give a reference
10 point of the injuries on a body diagram.

11 But what it is saying in realtime is
12 the hand can be positioned in all kind of fashions,
13 but that gun barrel is going to have to stay like
14 that. It has to be coming in a fashion like this.
15 It can't change, it can't start coming this way, it
16 can't. It has to always be in relationship like
17 this. (indicating)

18 Where the hand could have been like
19 that generating the wound, the hand could have been
20 like this generating the wound, and it could have
21 been like this generating the wound, but they have
22 to stay in this locked position, that's the only way
23 it can be. (indicating)

24 : How long from the first
25 examination to the further examination that you did,

1 how long of a time was it that you got those
2 samples? You said you put them in a preservative
3 liquid.

4 **A** Uh-huh.

5 Was there any
6 deterioration or anything from the time when you
7 received it until you did the second examination?

8 **A** So in terms of processing that tissue, so
9 I did the examination on August 10th. I immediately
10 took samples, I cut little pieces out with scissors
11 from kind of randomly around this area where it is
12 kind of discolored. That's the area that I'm most
13 suspicious for, concern for, for some type of
14 particular matter or deposition of foreign material.

15 I cut those out and immediately put
16 them in preservative solution of the formalin as
17 soon as I took them off that day.

18 : Okay.

19 **A** Once they go in that solution, no more
20 desiccation, nor more changing, nothing else is
21 going to happen to it with the histology that I did
22 of this wound.

23 That other tissue didn't get a chance
24 to go into formalin immediately. I don't know where
25 it sat, it could have been in a cooler or an air

1 room temperature room, I don't know, but it didn't
2 get into formalin until I got it until weeks later
3 after the event occurred when it was given to me.

4 : That couldn't affect the
5 way the particulates appear?

6 **A** Just to make sure we are talking about the
7 same specimen. For the things that I took here,
8 once I put those into the solution, they are going
9 to be preserved and nothing is going to be altered.

10 : Okay. That was the other
11 thing, wasn't it, I'm sorry.

12 **A** So once it goes into that tissue, I'm
13 sorry, once it goes into that liquid, then goes to
14 my histology lab and then, you know, through a
15 series of steps before it gets put in that wax.

16 : So the tissue you got
17 from the hand was preserved right away?

18 **A** Correct.

19 : I just want to clarify
20 because I understand you said it was sent to the
21 medical school histology department?

22 **A** Correct.

23 : But those are not
24 students examining those?

25 **A** No.

1 : They are present, but
2 they are not the ones in charge, they could be
3 present.

4 **A** To my knowledge there's no medical
5 students affiliated with that laboratory.

6 : They are done at a
7 professional level?

8 **A** They are done by technicians who are
9 certified to do that type of work.

10 Okay. You said that dirt
11 doesn't embed the way the soot would. I'm going to
12 say soot, I know you can't verify that. I'm just
13 going to call it that for right now. I know that we
14 see that the hand, the hand palm is upright.
15 Michael was almost 300 pounds and when he fell,
16 could his hand have hit and moved and embedded dirt
17 in there. Is there any way that that could be
18 mistaken for dirt in your professional opinion?

19 **A** I don't think so.

20 Okay.

21 **A** And it goes back to the situation.

22 : Your experience and
23 everything like you said?

24 **A** I'm looking at it in totality.

25 : One more, I'm sorry.

1 **A** No problem.

2 : You said that you could
3 tell that his hand wasn't on the barrel of the gun?

4 **A** Yeah, I can't determine that though.

5 You don't know that it
6 was or you know that it wasn't?

7 **A** On the information on my report, the
8 information on my report is saying it is kind of a
9 thing of static time.

10 : Okay.

11 **A** Having the presence of that material,
12 seeing that it is a graze wound, the way that things
13 are pointing, putting all of those things together,
14 I know it is a close range wound.

15 : Okay.

16 **A** That's all that it is saying. It doesn't
17 help me to say when it happened.

18 : Or even how for?

19 **A** I have a how far. It is about 6 to
20 9 inches when that wound was generated.

21 But you cannot tell?

22 **A** I don't know where in the process, like I
23 said, I can't put an opinion on did he have it and
24 he pulled away, then something happened or was he
25 going towards it and something happened, I can't

1 even talk about that component of things. All I can
2 say is that his hand was about 6 to 9 inches away
3 when that gun went off.

4 Okay.

5 **A** That's all that I can say.

6 Thank you so much.

7 MS. ALIZADEH: And just let me clarify
8 too, the tissue that was on the side of the police
9 vehicle, did you see any of the particulate matter
10 in that sample?

11 **A** No, I did not.

12 MS. ALIZADEH: When you examine the right
13 hand and in particular the palm of Michael Brown and
14 not just the wound, but the entire palm, did you see
15 any dirt or debris on his, on the palm of his hand.

16 **A** When I examined, the only area of
17 discoloration that I was concerned of being
18 something, I guess, not native to his hand was right
19 here in this region. The rest of his hand is clean.
20 You can see it is a normal palmer hand except when
21 you get into this area associated with the wound.

22 : I do want to reiterate
23 something that you said. You can't tell from this
24 whether he was pulling away or going toward?

25 **A** No.

1 : You can only tell the
2 direction of the discharge of the gun?

3 **A** That's correct.

4 He could very well have
5 been pulling away to leave?

6 **A** He could of, but I can't make a statement
7 to that.

8 : I'm done, I promise.

9 **A** The information I have does not help me
10 with determining that scenario definitively.

11 When
12 you do, did you obtain the specimen for just leaving
13 for toxicology.

14 **A** Uh-huh.

15 : We have the toxicology
16 and blood and urine, we don't have anything about
17 the liver and brain. Do you know if it is negative
18 or what?

19 **A** With toxicology?

20 MS. ALIZADEH: You can sit if you want.

21 **A** I don't know if I have to move or
22 something.

23 MS. ALIZADEH: You can get up if you want
24 to again.

25 **A** With toxicology, you kind of have some

1 flexibility in what you want to take, but standard
2 specimens that I take on a full examination pretty
3 much every time I always take some type of blood, I
4 try to preface where it is coming from. I take
5 urine and I also take vitreous fluids, which is
6 fluid from the eyes, and I take liver and I take
7 brain.

8 I have to specifically tell the tox
9 lab to test the brain and the liver if I'm concerned
10 or worried about substances being in those organs.
11 So for this particular case, the substances that
12 were generated were active and metabolites of
13 marijuana.

14 Those particular things are found in
15 the blood and it is not necessary to correlate them
16 to the brain or the liver. The blood samples are
17 going to give an accurate representation of the
18 levels that were in his body at the time of his
19 death.

20 So submitting the brain and the liver
21 is unnecessary.

22 : Okay.

23

24 **A**

25 : And I congratulate you.

1 You do an excellent job and you were very clear in
2 everything that you explain, everybody could
3 understand that perfectly. I congratulate you, you
4 are a very good professor.

5 **A** Thank you.

6 MS. ALIZADEH: , I don't
7 know if I understood it, but you guys are probably
8 smarter than me. I can't say half the things, the
9 words, I can't pronounce them.

10 Anybody else?

11 Did you
12 do any measurements from like, from shoulder to
13 shoulder, like the width or maybe from torso to
14 torso?

15 **A** No, I did not.

16 . I know we
17 went over this in your other visit about the other
18 shots and it is very hard to tell, especially on the
19 arm where things may have come in or come out, but
20 just in your experience, is there anything or
21 anywhere where you think this bullet may have
22 traveled, I have no idea where it may have grazed
23 somewhere else or may have entered somewhere else on
24 his body?

25 **A** In what you just said it's always a

1 possibility that, you know, it is conceivable. It
2 is possible that the hand is in a certain position
3 when something goes off. We know it is a graze
4 wound, it didn't stay, so it had to go someplace.
5 Could it have went into the door? Could it have
6 reentered another area on his body? Could it have
7 just went out into another place in space? All of
8 those things are reasonable and I can't definitively
9 say because I wasn't there to actually see the
10 positioning of how the body was when it happened.

11 It's possible, there is a multitude
12 of ways that the bullet could have traveled after it
13 struck the skin.

14 : Thank you.

15 . When I
16 look at that wound, it looks to me there is a lot of
17 tissue missing yet only one small piece of tissue
18 was recovered. Is that maybe an illusion there is
19 not a lot of tissue missing, more like where it
20 opens up you could put it back?

21 **A** That's a possible thing where it is kind
22 of more like filet and just kind of split open.
23 It's tracking deep, muscle is more, I guess, firm
24 for lack of a better word. So the more firm, the
25 more it is going to kind of split. It is taking

1 more forces to kind of pry it open.

2 So it is hard to say that something,
3 pieces get scattered around or whatnot, but from
4 what you can see, there is definitely a track
5 traveling through deeper into that muscle that you
6 have.

7 You feel your thumb right there, that
8 firmness right there, that muscle is being exposed
9 to the environment due to the tracking of that graze
10 wound superficially over the skin.

11 : Could I conclude that hand
12 was not inside the vehicle?

13 **A** You can't.

14 There would have been more
15 matter?

16 **A** Looking at the wound you can't determine
17 where the hand was positioned in space. It could
18 have been in the car, it could have been outside the
19 car, you can't.

20 : I guess no tissue was
21 recovered in the car, that's what I was after, would
22 there be a lot of tissue?

23 **A** And not necessarily. It is like, you know
24 if you try to squeeze it back, it will
25 re-approximate pretty good. It is something came in

1 between it and pushed things to the side. It is
2 just open like that because the muscle, that's just
3 what muscle kind of does once it gets hit. Because
4 your muscles are in a bundle, it is like a fascicle.
5 You have tissue that kind of holds them together.

6 So when you injure that, they just
7 kind of fall out. So that is going to make a wound
8 that may not necessarily be, you have a little small
9 bullet, but it goes through and filets that stuffs
10 open, the integrity of the tissue elements has been
11 disrupted. So now things are going to be able to
12 kind of flop out. You no longer have the skin
13 holding things together, you don't have connective
14 tissue holding muscle together, that stuff's
15 disrupted, it is going to flop open.

16 I'm also too, I'm putting traction on
17 the hand too, and that's going to help to expose it
18 more. You can see me pulling on his thumb, I mean
19 your hand, your hand kind of just rest like this,
20 I'm stretching it out like that. So that could make
21 things look more dramatic than they really are.

22 : One more question,
23 . But the mucousy substance found on the door
24 outside the vehicle --

25 **A** It is not mucus.

5 **A** It could be. I don't know definitively.
6 : Right. But the
7 pigmentation, the keratin that's in here is
8 consistent with either something with a lighter tone
9 skin, it wouldn't be from this part of the hand and
10 the arm?

11 **A** Right.

12 : It could be here or back

13 here or the foot or whatever?

[illegible]

17 **A** That's the best spot I got, but I don't
18 know exactly where it came from. There is no test I
19 can do that says this is hands, this came from the
20 hand, you know.

21 : I understand. I wasn't
22 trying to lock you into corner there.
23 . Pretty
24 scientific, seems like here's a little bit of art,
25 different backgrounds trying to figure out what the

1 evidence is telling you. With that being said,
2 obviously, going to hear from the federal, I guess,
3 medical examiner?

4 **A** Yes.

5 : What are your expectations
6 across multiple medical examiners should we expect
7 all of those reports be primarily very much the same
8 or major parts would be the same?

9 MS. ALIZADEH: Can I hold off right now on
10 his answer, we have a juror that needs to use the
11 restroom. Anybody need to go? I don't want to stop
12 it.

13 (Recess)

14 MS. ALIZADEH: We took a quick break.
15 This is Kathi Alizadeh, Sheila Whirley's present,
16 all 12 grand jurors. We just took like less than a
17 five minute break for a couple bathroom breaks.

18 Dr. is still testifying
19 Dr. , you are still under oath, of course.
20 And then the court reporter, , is taking down
21 and recording what is being said and
22 had posed a question to Dr. . Do you have
23 anything, did I interrupt your question or were you
24 done with that?

25 Okay. Mr. , do you recall his

1 question?

2 **A** Yes, I recall his question and it is a
3 good question. Um, you know, I guess in my, I have
4 to be honest with you guys, I have never been in a
5 experience like this before. I've only been doing
6 my craft, I guess on my own, for a little over two
7 years. I haven't been involved in any really high
8 profile cases. This is my second time coming to a
9 grand jury, so this is all, you know, kind of new
10 for me and I will always be learning throughout my
11 life dealing with experiences and whatnot.

12 But in terms of having people come
13 behind me to do an autopsy after I did it? When I
14 initially first started out on this, I didn't know
15 it could become what it is going to become. I was
16 just working. That Sunday was my day to work. I
17 got my caseload, things I was going to do that day.
18 And I approach all my cases the same way every time
19 based off of the training that I got and I just
20 approach them the same way every time.

21 I don't, you know, if there is little
22 special things I'm concerned about. I pursue those,
23 I do that, but I usually have the same approach
24 every time.

25 So knowing that someone is going to

1 come behind me, I've never had people come behind me
2 before. I was a little nervous about it, but I know
3 that I approach this in a logical fashion and I
4 wouldn't have done anything different.

5 So that being said, when people come
6 behind you, the work that we do at the end of the
7 day it is an opinion. There can be a difference of
8 opinion, but as long as, you know, everyone, once
9 everything is documented, you know, when someone
10 says this is an end, this is an out. You know, this
11 is an entrance wound, this is an exit wound. Well,
12 this looks close range, blah, blah, blah.

13 Once you get all of that kind of down
14 on the table, you get all the facts out there, then
15 at that point people start to say well, okay, this
16 is what I think this is.

17 Will somebody potentially look at my
18 slides and say, oh, that's dirt, they can. But you
19 have to understand is you have to, you can't look at
20 these things in a vacuum, you know.

21 Each piece is important for me not
22 physically being there, I have the body, I have the
23 evidence, I have to have all of these things to be
24 able to generate my opinion. I think you have to
25 look at everything in totality. You can't just take

1 a snapshot. You can do my job and do it in a vacuum
2 and some come up with all kind of conclusions, but
3 what you have to do is you have to look at
4 everything and then you have to look at the person
5 who was telling you, I mean, if the person is
6 credible to you and like I say, I'm not here
7 promoting one thing or another, I'm just speaking to
8 the things that I observed. And for lack of a
9 better term, regurgitating them back out with my
10 level of medical training to try to make sense of
11 everything.

12 People can come in and say whatever
13 they want to say for whatever their agenda is and I
14 think people need to be aware of that.

15 MS. ALIZADEH: That brings up some good
16 points that I wanted to maybe clarify with you.

17 Have you, Doctor, ever performed a second
18 autopsy?

19 **A** No, I have not.

20 **Q** (By Ms. Alizadeh) And you said you've
21 never had anybody perform a second autopsy after
22 you?

23 **A** No.

24 **Q** Are you aware of what the proper protocol
25 would be if a second autopsy would be performed

1 regarding what that second doctor may need in order
2 to complete his findings, do you know? I don't want
3 you to guess.

4 **A** I have personal feelings about it what you
5 may need, but I don't know is there a standard
6 protocol, what someone is supposed to get when they
7 do a second autopsy, I do not know the exact answer
8 to that.

9 **Q** Okay. So in your profession in your job,
10 you were tasked with examining the body and
11 determining the manner of death, the cause of death,
12 and documenting and describing any defects or wounds
13 of the body and testifying perhaps about the affects
14 of those wounds and preparing a report which you did
15 in this case?

16 **A** Correct.

17 **Q** Now, you just said that when you went in
18 on the 10th to do this autopsy, you approached this
19 in the same manner that you would any autopsy that
20 you are going to do; is that right?

21 **A** Correct.

22 **Q** You didn't do this any differently because
23 it was Michael Brown?

24 **A** No, I did not.

25 **Q** Did you even know at that time you had

1 just said, you didn't know that this would become
2 what it has become?

3 **A** No, I did not.

4 **Q** And are you familiar with Dr. , do
5 you know him?

6 **A** Yes, I do.

7 **Q** Do you have an opinion as to whether or
8 not he is a reputable or respected pathologist?

9 **A** I have no comment.

10 **Q** Okay. How about have you seen a report
11 from Dr. ?

12 **A** I have not seen a report from him on this
13 case.

14 **Q** And now you're aware that there was a
15 third autopsy done; is that right?

16 **A** Yes, I am.

17 **Q** That was done by Medical Examiners with
18 the Department of Defense?

19 **A** Correct.

20 **Q** And you are aware that one of those
21 doctors was Major , go you not?

22 **A** Yes, I am.

23 **Q** Now, prior to this case, had you ever
24 heard of Dr.

25 **A** No, I have not.

1 **Q** And you have since met him; is that right?

2 **A** Yes, I have.

3 **Q** When you met him, was it in relation to
4 this case?

5 **A** Yes.

6 **Q** Okay. And have you seen his report?

7 **A** No, I have not.

8 **Q** Okay. So you can't today testify to any
9 other findings by other professionals, correct?

10 **A** Correct.

11 **Q** But you do recognize that very competent
12 and reputable experts may differ in their opinions?

13 **A** Correct.

14 **Q** And you've testified just regarding your
15 opinion in this?

16 **A** Correct.

17 **Q** All right. And we'll hear from Dr.
18 and hopefully Dr. in the future.

19 In order to do the things that I told
20 you, you are tasked with doing in this case so that
21 you testified, did you have to examine Officer
22 Wilson's vehicle?

23 **A** No.

24 **Q** Did you do that?

25 **A** No.

1 **Q** Did you have to see the crime scene
2 photos?

3 **A** I've seen them, but they weren't necessary
4 to do my autopsy.

5 **Q** Okay. Did you see them after you had
6 performed the autopsy?

7 **A** Yes.

8 **Q** Did you see them after you prepared your
9 report?

10 **A** Yes.

11 **Q** Okay. Um, how about the medical records
12 of police officer Darren Wilson, did you need to see
13 those in order for you to form an opinion?

14 **A** No.

15 **Q** And how about the clothing of Officer
16 Darren Wilson, did you need to see those things in
17 order for you to form your opinions?

18 **A** No.

19 **Q** What about, now, of course you had the
20 clothing of Michael Brown; is that correct?

21 **A** I don't have it, but I saw it at the time
22 of the autopsy and then I gave it over to the St.
23 Louis County Police Department as evidence.

24 **Q** And would it be, in your opinion, was it
25 helpful to have the clothing to at least see perhaps

1 if there were defects or holes in the clothing that
2 would correspond with injuries?

3 **A** Yes.

4 **Q** Was there anything, so you have the body
5 of Michael Brown, you have the clothing of Michael
6 Brown you, of course, did not have the toxicology
7 results when you did your report?

8 **A** Correct.

9 **Q** And was there anything else that you had
10 that you relied upon in making your reports?

11 **A** The x-rays.

12 **Q** X-rays of the body, correct. And we have
13 those on a disc that we didn't show those to you,
14 but I indicated if you needed to see them or ask any
15 questions about them they would be available.

16 Anything else that you needed to form
17 your ultimate conclusions?

18 **A** No.

19 **Q** Is there anything that you didn't have you
20 wish you'd had?

21 **A** At this particular time, no, I can't think
22 of anything.

23 **Q** What about the gun of Officer Wilson, the
24 gun that was used in the shooting, did you need to
25 have that or examine that to make your findings?

Page 64

1 A No.

2 MS. ALIZADEH: Sheila, anyone else?

3 MS. WHIRLEY: NO.

4 After

5 you completed your autopsy of Michael Brown, and
6 then we find out that there was going to be a second
7 autopsy done, do you know how soon after you
8 completed your autopsy was that second autopsy by
9 Dr. done, do you know the timeframe?

10 **A** No, that was not shared with me.

11 Okay. Before you released
12 the body from your office.

13 **A** Uh-huh.

14 : Is the body cleaned in any
15 way.

16 **A** I mean --

17 : Do you wash it down like
18 with distilled or stabilized water.

19 **A** The autopsy technician, like I said, doing
20 the autopsy is not a totally clean process. You
21 don't want to have blood all over the place from
22 point of health hazards and visually esthetic
23 purposes, it just doesn't look good.

24 With water, the body is washed also
25 so it is not all bloody and things of that nature.

1 **A** Yeah.

2 : Was there any damage to
3 his lower extremities that was recent?

4 **A** No.

5 : Nothing consistent with
6 his foot being ran over?

7 **A** No.

8 : , I'm sorry.

9 When you say you wash the body, you don't like scrub
10 it or any use any soap or anything, it is just
11 water?

12 **A** Water and a rag.

13 : So you can get a good
14 view of what's going on?

15 **A** Yeah.

16 MS. ALIZADEH: In fact, I think,
17 Dr. , if you recall from your previous
18 testimony there's a series of photos that are taken
19 before the body is washed and then there's a series
20 of photos taken afterwards, and in cleaning parts of
21 the body, is that so you can visualize the wounds
22 and see what you are looking at?

23 **A** Correct.

24 **Q** (By Ms. Alizadeh) And if something is
25 maybe dried blood that washes away and it is not

1 actually an injury or wound?

2 **A** Correct.

3 **Q** Okay. And just also to clarify when you
4 did your autopsy, the body of Michael Brown had not
5 been embalmed or touched by a funeral director or
6 anyone else, it came from the crime scene to be
7 placed in a locked bagged and then delivered
8 directly to your offices?

9 **A** Correct.

10 MS. ALIZADEH: Any other questions?

11 (End of the testimony of Dr. .)

12

13 of lawful age, having been first duly sworn to
14 testify the truth, the whole truth, and
15 nothing but the truth in the case aforesaid,
16 deposes and says in reply to oral
17 interrogatories, propounded as follows, to-wit:

18 EXAMINATION

19 BY MS. ALIZADEH:

20 **Q** Could you state your name and spell for
21 the court reporter?

22 **A** Sure. .

23

24 **Q** What do you do, sir?

25 **A** I'm a forensic pathologist in the

1 military.

2 **Q** And prior to your testimony, did I ask you
3 to send a copy of your current curriculum vitae?

4 **A** Yes, ma'am.

5 (Grand Jury Exhibit Number 80
6 marked for identification.)

7 **Q** (By Ms. Alizadeh) I'm going to hand you
8 what I've marked as Grand Jury Exhibit Number 80, is
9 that what you sent me?

10 **A** It is.

11 **Q** And I'm going to pass this out, but I'm
12 also going to ask you to testify a little bit about
13 your educational background.

14 Tell me, starting with college, your
15 degree in college and where you went from there?

16 **A** I graduated from LaSalle University with a
17 bachelor's degree in biology back in 2003 and then I
18 went to Georgetown University School of Medicine in
19 Washington and graduated in 2007 with a medical
20 degree. From there I went to the University of
21 North Carolina in Chapel Hill and spent five years
22 there completing residency and forensic pathology
23 training.

24 **Q** And we've already heard from Dr.
25 about the science of forensic pathology, how long

1 have you have been working as a forensic
2 pathologist?

3 **A** I finished my fellowship in 2012. I have
4 been employed with the Armed Forces Medical Examiner
5 System out of Dover Air Force Base since then. So A
6 little over two years as a practicing forensic
7 pathologist.

8 **Q** So you are employed by the military, is
9 in, what branch of the military?

10 **A** The Air Force.

11 **Q** And are you a commissioned officer in the
12 Air Force?

13 **A** Yes, ma'am. I hold the rank of major.

14 **Q** And so today, would you prefer I call you
15 or would you prefer to go by Dr.

16 ?

17 **A** Dr. is fine.

18 **Q** All right. And so Dr. , in your
19 duties and responsibilities as a forensic, are you a
20 forensic pathology?

21 **A** Yes, ma'am.

22 **Q** Are you a board certified forensic
23 pathologist?

24 **A** I'm board certified in anatomic and
25 clinical pathology, as well as forensic pathology.

1 **Q** And so in your job with the military, do
2 you perform autopsies?

3 **A** Yes, ma'am.

4 **Q** And do you perform autopsies and then
5 prepare reports regarding your findings?

6 **A** Yes.

7 **Q** And have you testified in court regarding
8 your findings after performing autopsies?

9 **A** Yes, I have.

10 **Q** And so in relation to this case, you know
11 that you are here because you performed an autopsy
12 on the remains of Michael Brown, correct?

13 **A** Correct.

14 **Q** When were you contacted, approximately, in
15 order, when did you first learn that you were being
16 asked to perform for an autopsy?

17 **A** I believe August 18th was the day that we
18 performed the autopsy, I know that. I think that
19 was a Monday. I don't recall specifically, but I
20 remember getting a call when I was at home from my
21 boss. It was probably Sunday, the day before.

22 **Q** Okay. And what did your boss tell you
23 about what you were being asked to do?

24 **A** Well, he said that the Department of
25 Justice was making a special request from the

1 Department of Defense to perform an independent and
2 non-biased autopsy on a civilian that had died in
3 St. Louis.

4 **Q** So was it unusual for you to perform an
5 autopsy on civilians?

6 **A** I would not say it is unusual for me to do
7 autopsies on civilians. We perform autopsies on
8 anyone that dies in federal jurisdictions as long as
9 it's a medical examiner's case. If there's a
10 civilian on a federal installation, we will be
11 responsible for performing that post-mortem
12 examination.

13 **Q** So, for example, a serviceman, serviceman
14 who maybe lives on base, his child dies and there is
15 a need for an autopsy?

16 **A** That is correct.

17 **Q** That's a civilian, correct?

18 **A** Correct.

19 **Q** Someone who may be, would be shot during a
20 bank robbery, that would be a federal case, but that
21 wouldn't necessarily be military, involved?

22 **A** Correct.

23 **Q** Okay. And so, so you were told that you
24 were be requested by the Department of Justice to
25 perform an independent and unbiased autopsy, is that

1 right?

2 **A** Correct.

3 **Q** Were you are told that the body had
4 already been autopsy previous?

5 **A** Yes, I was.

6 **Q** And did you, were you told that it had
7 been autopsy twice?

8 **A** Definitively it had been autopsy at least
9 once before by the St. Louis County Medical
10 Examiner's Office. There was some rumor at the time
11 that a second independent autopsy had been
12 performed, but we weren't sure at that time.

13 **Q** Now, did, when you were notified about
14 this, did they tell you who you were going to be
15 autopsying?

16 **A** At that time the name was given to me over
17 the phone.

18 **Q** Did they tell you it was going to be the
19 body of Michael Brown?

20 **A** They did.

21 **Q** And on August 18th, had you ever heard of
22 Michael Brown?

23 **A** In fact, I was ignorant. I'm not sure why
24 I hadn't been watching media on this. I know there
25 was a lot of coverage that I know now, at the time

1 this was the first I was hearing of it at that phone
2 conversation that Sunday.

3 Q So you had not viewed or at least had not
4 made any connection between the media coverage
5 regarding the shooting death of Michael Brown and
6 the allegations that are being and were being made
7 at the time with the autopsy that you were
8 performing?

9 A Correct, this was the first I was hearing
10 of it.

11 Q And so did you travel to St. Louis to
12 perform the autopsy?

13 A Yes, ma'am, I did.

14 Q Did anyone come with you?

15 A I was accompanied by one of our senior
16 forensic pathologist was a Navy captain. He
17 probably has 15 or 20 years experience named
18 Dr. . We performed the autopsy
19 together and whenever we travel to do a case, we
20 also bring one of our photographers. We have a
21 staff of photographers that will accompany us when
22 we go on road cases. So I had a photographer and
23 Dr. accompany me.

24 Q And so prior to doing the autopsy, did you
25 learn anything additional that helped you to do the

1 autopsy?

2 **A** So once we arrived at the Medical
3 Examiner's Office, they welcomed us in, essentially
4 gave us all access to the radiology, all the x-rays
5 they had taken on the day that they performed their
6 case, which I believe was the 10th of August.

7 So before any gunshot wound case, we
8 need to review the radiology in order to see what
9 bony structures have been injured, if there are any
10 projectiles in the body that need to be recovered.
11 So this is standard procedure.

12 So they gave me access to those
13 x-rays and then I also was able to look at a small
14 scene investigation synopsis probably a few
15 paragraphs, I guess dating back to the 9th or 10th
16 of August. So I had that information to review
17 before we performed our, I guess, third autopsy.

18 **Q** Do you recall what you learned from that
19 scene synopsis?

20 **A** It was just that there was an individual
21 that had an altercation with a police officer and
22 that he received multiple gunshot wounds and died at
23 the scene.

24 **Q** Did you have any information about, for
25 example, whether or not there was an altercation at

1 or near a police vehicle?

2 **A** It wasn't specified in what I had read.

3 **Q** Okay. Did you have any information that
4 there were perhaps conflicting reports about whether
5 or not the individual was running or standing still
6 or turning or what position his body was in?

7 **A** I don't recall that being elaborated in
8 that small synopsis, no.

9 **Q** Okay. And so when you arrived at the
10 Medical Examiner's Office in St. Louis County, did
11 you speak with any of the medical examiners in St.
12 Louis County?

13 **A** Yes, ma'am. I spoke with Dr. right
14 before we performed our own procedure and then
15 afterwards, I spoke with Dr. .

16 **Q** So Dr. would be the chief medical
17 examiner for St. Louis County?

18 **A** To my knowledge, she is the chief at that
19 office, yes, I believe so.

20 **Q** All right. And did she give you any
21 information that you felt was necessary in order for
22 you to perform the autopsy?

23 **A** She didn't provide any additional
24 information before the autopsy itself. She was just
25 there mostly to facilitate and make sure things were

1 going smoothly and she allowed us to actually use
2 one of their own technicians to help with the case.

3 Q Do you recall who that technician was?

4 A I do not recall. I saw him in the
5 photographs from the first autopsy, I don't recall
6 his name. We have a full ledger in our own case
7 file of everybody that was present in that room,
8 which would include that technician as well as an
9 FBI agent.

10 Q And so the photographs that you mentioned
11 that you saw from the first autopsy.

12 A That is correct, but I did not get to see
13 those until after we performed our own examination.

14 Q Is that because they wouldn't allow you to
15 see them, but you wanted to?

16 A We actually prefer that we did not look at
17 any photographs before completing our own autopsy.
18 We felt like we were there to perform our own
19 independent study. We didn't want to get biased by
20 other photographs.

21 Q All right. And when you arrived at the
22 Medical Examiner's Office, I assume that the body
23 was identified to you as the body of Michael Brown?

24 A That is correct. He also had
25 identification bracelets around his ankles and his

1 wrist that said Michael Brown.

2 **Q** And did your photographer take photographs
3 of the different stages of the autopsy?

4 **A** Yes. We have a very specific protocol in
5 the military in the way we deal with photographs and
6 we performed our autopsy the same way we would as if
7 it was a service member for a normal autopsy.

8 When we arrive the body was
9 discovered in several blankets. We take a
10 photograph of that and then we removed those
11 blankets and then we begin our standard photographs,
12 which would include identification bracelets and
13 anything else that could identify the body.

14 MS. WHIRLEY: Are you looking for the
15 other file?

16 MS. ALIZADEH: Sorry.

17 **Q** (By Ms. Alizadeh) For the record, we are
18 using a disc that I've marked as Grand Jury Exhibit
19 Number 79, and there are a number of photographs on
20 this disc. And I'll ask you, Dr. , if it is
21 easier for you, and it might be, to maybe wheel that
22 chair, you don't need to worry about the microphone
23 picking you up, it will pick you up and you would be
24 in a better position to look.

25 Here is a laser pointer so as we talk

1 about these photographs, you can use that.

2 **A** Sure.

3 **Q** I'm also going to turn this light down a
4 little bit so it is easier for the jurors to see
5 this.

6 So this is the first image that is on
7 the file that's on the disc, Number 79.

8 **A** Yes, ma'am.

9 **Q** Do you recognize this placard?

10 **A** I do. We use this placard on all of our
11 autopsies, this is how we start all of the
12 photography with this placard. And usually we make
13 this at Dover Air Force Base before we go to the
14 actual case.

15 **Q** And the side of that placard says
16 Dr. , St. Louis, Missouri?

17 **A** Correct. We were the two pathologists
18 that were performing this autopsy.

19 **Q** Okay. The date at the top, is that the
20 date that you prepared the placard or the date that
21 you actually performed the autopsy?

22 **A** So this placard would have been prepared
23 by at the bottom, he's our photographer. I'm
24 not sure if he did it the day before or the morning
25 we flew out, but that was the day we performed the

1 autopsy.

2 **Q** So AFMES, what's that?

3 **A** Arm Force Medical Examiner System. That's
4 where we work and we're stationed out of Dover Air
5 Force Base.

6 **Q** You have your own case number?

7 **A** Correct. ME140240.

8 **Q** Then you said MCCS , that's the
9 photographer that you brought along with you?

10 **A** Correct. I'm not in the Navy, so I don't
11 know what MCCS stands for. He's a senior chief
12 enlisted in the Navy. I'm not sure what that means.

13 **Q** So you indicated that when you first
14 arrived and the body was presented to you, it was
15 wrapped in some blankets and that you took some,
16 that your photographer took some pictures.

17 There is, in this next image, Image
18 Number 2, you can see something that's inside a
19 blanket and there is a piece of paper perhaps that's
20 on top of the blanket and that is your case number,
21 correct?

22 **A** Correct. We label all of our images no
23 the matter what they are with a case number. I
24 think that is just important for us to do not to
25 misplace images across different autopsies.

1 **Q** This was all done at the Medical
2 Examiner's Office here, correct?

3 **A** Correct. This entire autopsy was done
4 here, but these placards that we are seeing were
5 things we had printed up before we arrived.

6 **Q** Okay. So Image Number 3, and that is
7 another different view of the body underneath the
8 blankets?

9 **A** Correct.

10 **Q** Image Number 4. And so now in this image,
11 we're seeing the lower half of the body and you
12 indicated that there were bracelets, there is a
13 green bracelet around the right hand and then there
14 are bracelets around the ankles of the body. Did
15 those identify the body as Michael Brown?

16 **A** Yes, ma'am. I think we have closer up
17 images that show his name actually written on these
18 bracelets, but they did.

19 **Q** And the next image. And then this is the
20 upper half of his body; is that correct?

21 **A** Correct.

22 **Q** Now just viewing this as we see it and as
23 you saw it that day, can you tell by looking at it
24 then that this body has been autopsied?

25 **A** Yes, this is the standard Y incision that

1 we do in the United States and it has been sutured
2 together.

3 Q All right. And the next photo. Again,
4 you indicated that you took close-up images of the
5 actual identifying bracelets around the wrist and
6 ankles s of the body, correct?

7 A Correct.

8 Q And the next image and then the next, and
9 the next, and then the next. Sorry, and so the last
10 image we've already seen that image. So now we saw
11 a number of images that you could see close up the
12 bracelets that identified the body and now do you
13 know why this image was taken?

14 A Again, this is just our standard protocol.
15 So at this point we would consider the body, usually
16 the bodies that we are dealing with are actually
17 still within the body bag, the human remains pouch.
18 This is just a standard image.

19 After we remove the blankets,
20 whatever was underneath him, we will take pictures
21 of him on the actual autopsy surface that we are
22 going to be performing the case on.

23 Q Okay.

24 A So again, pretty much redundant
25 information.

1 **Q** Okay. But these are different images?

2 **A** They are, they are. We have already
3 removed the blankets.

4 **Q** All right. Now, this is an image of the
5 lower half of his body, but he's turned over and
6 he's lying on his stomach?

7 **A** Correct. And you can see here some
8 evidence of decomposition change, some skin
9 slippage.

10 **Q** And we see some discoloration on the,
11 maybe I shouldn't characterize it as discoloration,
12 you can see that there is definitely some different
13 color to his skin, what's that caused by?

14 **A** Correct. So the body has been embalmed, I
15 think any images you may have seen up to this point
16 have been from the first autopsy. So now, you know,
17 eight days later, the body has been embalmed, been
18 three, several other autopsies. So when the body's
19 playing flat on the table getting embalmed, some of
20 the embalming fluid may not reach these areas
21 because the skin is pressed against a flat surface
22 that prevents it from getting in. So I think any
23 changes in tone or color is probably due to that.

24 **Q** All right. So those aren't anything that
25 you feel that were caused by the injuries that he

1 sustained during the shooting?

2 **A** No.

3 **Q** And the next image?

4 MS. WHIRLEY: Let me ask him, what's the
5 white.

6 **A** This here? Skin slippage. It is
7 decomposition.

8 Again, the skin here isn't going to
9 be as embalmed as the skin on the front. He's
10 laying on his back, so this area could be prone to
11 decomposition.

12 **Q** (By Ms. Alizadeh) And the embalming
13 process is to slow down decomposition?

14 **A** Correct.

15 **Q** And then you see the back of the upper
16 half of his body. And you see some injuries to his
17 body from that photograph; is that correct?

18 **A** Correct. And this photograph we can see
19 clearly, it is hard to tell from here, but that's
20 the exit gunshot wound from a gunshot wound to his
21 forearm.

22 And then here is actually an autopsy
23 artifact, that's where they had removed a bullet
24 gunshot wound to the right lateral chest. That's
25 where they recovered that round during the first

1 autopsy.

2 **Q** Now, were you able to tell that just by
3 looking at it?

4 **A** No.

5 **Q** Or did you have to examine it?

6 **A** This information I'm giving you is after I
7 examined the entire case.

8 **Q** Okay.

9 **A** I did not know at the time of first
10 looking at his back that that was where they
11 recovered a round from the first autopsy.

12 **Q** And you weren't able to conclude that from
13 the x-rays either?

14 **A** No, because the x-rays, all we have is
15 pretty much one dimension anterior and posterior, so
16 it is hard to tell where exactly the bullet is. I
17 only know it's in the right side of the chest
18 somewhere, but in this situation, it was actually in
19 the back.

20 So you need x-rays from two different
21 angles in order to really tell that, which we didn't
22 have.

23 **Q** All right.

24 MS. WHIRLEY: There's no indication that
25 he was shot in his back?

1 **A** No, I would not at any point thought that
2 was a gunshot wound.

3 **Q** (By Ms. Alizadeh) The next slide, is this
4 a repetition of the previous, of a previous slide
5 we've seen?

6 **A** Correct.

7 **Q** Which is the body still on its stomach and
8 it is the lower half of his body. And the next
9 slide and again, is this repetitious of --

10 **A** I'm not sure why we are looking at this
11 again.

12 **Q** Okay.

13 **A** Just closer up image of the same thing,
14 this is that defect that they created during the
15 first autopsy to remove that round.

16 **Q** And you learned that after your autopsy?

17 **A** Correct.

18 **Q** But looking at that, you can say that that
19 is not an entrance wound, a gunshot entrance wound?

20 **A** That is correct. And there is no evidence
21 here of any, what we would say a sign of life.

22 There is no vital reaction here, there is no blood,
23 there is no inflammation around these edges, this
24 looks like a post-mortem phenomenon and that's what
25 it is.

1 **Q** All right. Is that a closer up of the --

2 **A** Correct. As you get closer you can
3 actually see a scalpel injury here, it's all
4 post-mortem, it is where they removed it.

5 **Q** And the next image. Describe what this
6 photograph shows?

7 **A** So this is the right hand, we are looking
8 at the palm surface and this is a tangential gunshot
9 wound to the base of the right thumb.

10 **Q** And what do you mean tangential?

11 **A** Meaning if the skin surface is like this,
12 the bullet is kind of going in the same direction as
13 the skin at that point. So it is basically, you've
14 heard the term graze, I'm sure. I would beg to
15 differ this is a little deeper. When I think graze,
16 I think of something very close to the surface of
17 the skin only, which we will see later in this case.
18 But I think this would be, I prefer to call it
19 tangential gunshot wound, meaning the bullet was
20 kind of going in the same direction as the skin at
21 that point.

22 **Q** Now, you were just showing with your hand,
23 you had your, at this point can you tell by looking
24 at this the direction, if this injury was caused by
25 a bullet, can you tell the direction the bullet was

1 traveling?

2 **A** It is difficult in this image because we
3 have to orient it differently. You will see through
4 different photographs there are skin tags and that
5 is what we use to tell the direction of fire in a
6 tangential gunshot wound. In this image I would
7 have a hard time telling.

8 **Q** Okay.

9 **A** Again, this image can't help a whole lot.
10 You can see a little bit of skin tag kind of
11 pointing in this direction and one on this side
12 also. This still a little difficult based on this
13 image.

14 You will also have to keep in mind,
15 again, the body has been embalmed. So as the skin
16 starts to get tighter, it starts to change compared
17 to first autopsy.

18 **Q** Have you ever done a second autopsy?

19 **A** In fact, we do a lot of second autopsies
20 at Dover Air Force Base. A lot of the civilians
21 that die overseas, they are going to get autopsies
22 in sovereign countries and then they are going to
23 come back to Dover and do a second autopsy, so we
24 see a lot of these.

25 **Q** The next picture. This is the same injury

1 to the right hand and there is a scale that is
2 placed over the wound to give it perspective, is
3 that fair to say?

4 **A** Exactly. We do this for any gunshot
5 wound, that is standard. You can start to see
6 another tag coming into the field here again, it
7 starts to point in that direction, not as good as I
8 would like.

9 **Q** All right. And the next image?

10 **A** So in this image we have the posterior
11 surface, an anatomic position, this is actually the
12 anterior surface. I'm sure this has been
13 demonstrated, right? So anatomic position is like
14 this, standing straight ahead, palms facing forward.
15 Okay.

16 This is actually confusingly the
17 anterior surface of the right forearm and that's an
18 exit gunshot wound right there.

19 We can also see that tangential
20 gunshot wound to the thumb also in this image.

21 **Q** And the next image.

22 **A** Is just close-up image here of the exit
23 wound on the anterior surface of right forearm.

24 **Q** All right.

25 **A** Here we are moving up a little bit closer

1 into the axilla. Basically still on his back and we
2 are taking a picture here of the back of his arm
3 here close to his armpit. So this is actually an
4 exit gunshot wound. If I hold my arm out like this,
5 it is right about in this location here.

6 (indicating)

7 Q Now, let me ask you, Dr. , is there
8 any, this may sound silly for me to ask you this, is
9 there any rhyme or reason as to the order which you
10 document and photograph wounds?

11 A There is, a lot of it's mechanics, right.
12 Michael Brown wasn't a light person, you know, he
13 was probably well over 250 pounds. It is difficult
14 for us to continuously manipulate and turn him from
15 front to back.

16 So we try to take all the photographs
17 when he is laying on the stomach, then flip him over
18 and then take all the photographs from the other
19 side.

20 Q So when you talk about these wounds, we
21 will see in your report later you might have them as
22 numbered, or in this case the first wound you
23 photographed and the second one you photographed, is
24 there any, are you saying that those were the order
25 in which the wounds were sustained?

1 **A** No, I'm not. This has nothing to do with
2 the order of infliction of these wounds. This is
3 just the order that we happen to photograph them at
4 autopsy.

5 **Q** All right. So you said that this is on
6 the back, upper arm and that is an exit wound?

7 **A** Correct.

8 **Q** And is this a gunshot wound?

9 **A** Correct, this is an exit gunshot wound.

10 **Q** And then the next photograph?

11 **A** Just a closer up image of the same thing.

12 **Q** And the next photograph?

13 **A** Okay. So now he's still laying on his
14 stomach and now we have an image of the left forearm
15 and we can see an injury here. I think if you go on
16 we can actually get a better picture of that.
17 Perhaps, okay, a little bit better here.

18 So we actually call this a
19 superficial incised wound. In our opinion, a sharp
20 force injury, it is not blunt.

21 Whatever caused this was something
22 sharp, like a piece of glass, a piece of jagged
23 metal, a knife, something like that, something
24 sharp. The way that we can tell that is the edges
25 of this are very clean. We don't see any abrasion

1 along it.

2 I think what you see here that is
3 kind of dark along the edge of this is actually some
4 vital reaction, he is getting a little inflammation
5 from it. It doesn't take long for a wound to become
6 inflamed. I think that is what we are seeing here.

7 And again, it is a little dry, it is
8 eight days later, he has been embalmed, so it is a
9 little different than it would look during the first
10 autopsy.

11 And then along the same trajectory
12 right here, you can pick up kind of a faint scratch
13 along with it. So again, this is consistent, in our
14 opinion, with something sharp.

15 Q All right. So in your opinion, you have
16 said a couple of times in our opinion.

17 So are you performing the autopsy
18 together with Dr. ?

19 A That is correct. We performed this
20 autopsy together.

21 Q And do you talk about your beliefs and
22 findings as you are performing the autopsy?

23 A Yes.

24 Q Who prepared the report in this case?

25 A I wrote the report and then Dr.

1 proofread it and signed it.

2 **Q** All right. And so when you say our
3 opinion, for purposes of this grand jury I
4 understand that it is because you and Dr.
5 were working together, but it is important that we
6 make sure that we are getting your opinion.

7 **A** Yes.

8 **Q** Since Dr. is not here to testify.

9 **A** Yes.

10 **Q** And let me just ask you, was there ever
11 any difference of opinion between you and
12 Dr. in your autopsy and your findings in
13 this case?

14 **A** There was not.

15 **Q** Okay. All right. So if you continue to
16 say our opinion, it is also your opinion as well.

17 **A** That is correct. I can change and say my
18 opinion.

19 **Q** Okay. So now in this wound you said you
20 think this was caused by a sharp object?

21 **A** I do.

22 **Q** You don't think that that could have been
23 a bullet?

24 **A** I do not. This does not look like a graze
25 gunshot wound to me. Again, I know we use the word

1 clean, I know that is kind of subjective. It is a
2 little hard to fine, but when I say clean, I mean
3 the edges of this are very straight, there is not a
4 lot of abrasion to it.

5 A gunshot wound is going to create a
6 lot of abrasion, it's going to look for all intents
7 and purposes a lot slopier, it is not going to look
8 like that.

9 Q And to kind of jump ahead a little bit
10 here. After your autopsy, did you have a chance to
11 review Dr. report?

12 A I did once we completed our autopsy. We
13 actually sat down with Dr. , Dr. ,
14 Dr. and our photographer at a table and we
15 reviewed all the images from the first autopsy. It
16 was at that point he also gave us his autopsy
17 report, which may have been preliminary at that
18 time, but actually I think it was finalized.

19 Q Regarding this particular wound, you and
20 Dr. disagree about what might have caused
21 that wound; is that correct?

22 A Correct. Dr. , he may have called
23 this abrasion. In my opinion an abrasion is
24 superficial. It's basically the very most outer
25 layer of the skin is just kind of worn away and it

1 is a blunt force injury. It is either your body
2 impact a blunt object like a baseball bat or
3 something flat or blunt object impact your body.
4 That is what an abrasion is, it is very superficial.

5 This is deeper, it goes through the
6 outer layers of skin. You can actually start to see
7 a little bit of the deeper dermis and the
8 subcutaneous tissue within that wound.

9 The only other thing that would be on
10 differential for this is a laceration, which is also
11 a blunt force injury. We see them all the time in
12 contact sports, people that get hit in boxing, they
13 get lacerations, the skin rips.

14 Again, I think this is a sharp force
15 injury, a superficial incised wound.

16 **Q** Now, let me ask you then, Dr. , in
17 your experience as a pathologist for the military, I
18 would imagine you have seen performed a number of
19 autopsies on servicemen who were killed by IUD's?

20 **A** Correct.

21 **Q** And they have like shrapnel injures and so
22 forth?

23 **A** Correct.

24 **Q** A shrapnel injury, would that be something
25 like you would have a piece of metal or some other

1 hard object that would injure the skin or injure the
2 tissue?

3 **A** Correct, it can injure the body in a great
4 number of ways. If you want to call shrapnel
5 injuries, we would call them blast fragment
6 injuries. They can actually look like gunshot
7 wounds, but depending on the velocity and size of
8 the fragment, it can also cause lacerations. I
9 would not call them sharp force injuries if they
10 impacted the body.

11 **Q** Okay. So you don't think the bullet
12 grazing the surface of skin could cause that injury?

13 **A** That is not my opinion.

14 **Q** Okay. Did you look at the photographs
15 that Dr. has taken of this injury?

16 **A** I did.

17 **Q** And I'm just wondering because we've
18 talked earlier and Dr. has testified, of
19 course, before about that experts can differ in
20 their opinions. Did anything about the photographs
21 that Dr. had taken change your opinion
22 about the cause of what caused this injury?

23 **A** No, in fact, his photographs reinforced
24 what I thought. I still believe this is a sharp
25 force injury with his photographs and in addition to

1 ours.

2 Q Did you discuss with Dr. that you
3 had a difference of opinion in regard to this
4 injury?

5 **A** We had talked about it, yes. But he
6 wasn't swayed one way or another.

7 Q And you weren't swayed either to change
8 your opinion?

9 A No.

10 Q All right. And so could a piece of glass
11 could have caused this injury, yes. I believe a
12 piece of glass could have caused that injury?

13 MS. WHIRLEY: The only question I have is
14 that this injury you believe happen
15 contemporaneously with the gunshot injury?

16 **A** I do, especially if you look at the first,
17 the first autopsy images of this injury. It looks
18 very acute, it doesn't have any signs of healing.
19 It has a little bit of inflammation around the
20 border, it is still wide open and it is bleeding. I
21 would think that if that injury had occurred in the
22 past it would have potentially have been treated in
23 some way, a bandage or something, that is a pretty
24 large wound to leave open.

25 . What side

1 is the back side of what side, left or right?

2 **A** This is the left arm.

3 : This is the left arm?

4 **A** Correct. It would be like right here.

5 : Oh, so it is right here.

6 So could that have happened against a metal car

7 rubbing up against the window?

8 **A** I believe so, as long as whatever impacted
9 his arm at that point was a sharp object, jagged
10 metal, glass, yes.

11 Okay.

12 . What a
13 about fingernail?

14 **A** Fingernail, no. Fingernail injury we
15 would term abrasions. It would have to be an
16 extraordinarily filed and sharp fingernail to cause
17 that injury.

18 MS. ALIZADEH: You know, I appreciate as
19 we move along, rather than save your questions just
20 butt in because while we have a slide up, it makes
21 sense for you to raise those questions for the
22 doctor as we go along.

23 So the next image, this image we have seen
24 of the lower half of his body.

25 **A** Okay. So now we have the other side of

1 the left hand here. At this point you saw in the
2 last image we flipped his body back over and I think
3 what is becoming apparent here is a small abrasion
4 here kind of at the base of the wrist.

5 **Q** (By Ms. Alizadeh) So you describe this as
6 an abrasion?

7 **A** Correct. An abrasion meaning it is a
8 blunt force injury, either the hand impacted a blunt
9 object or the blunt object impacted the hand. And
10 it eroded away some of the superficial layers of
11 skin leaving that.

12 **Q** Could that injury have been caused by a
13 bullet?

14 **A** No, it is not my opinion that could be
15 caused by a bullet.

16 **Q** You can't say what object then caused that
17 abrasion?

18 **A** I cannot.

19 MS. WHIRLEY: A fingernail?

20 **A** Fingernail abrasions usually, we call them
21 curve linear, they are basically a little U shaped
22 abrasions. I don't think that that's a fingernail
23 injury.

24 MS. WHIRLEY: Someone grabbing, holding?

25 **A** Potentially, but again, I cannot look at

1 that and tell you what caused it.

2 MS. WHIRLEY: Okay.

3 A bracelet?

4 A A bracelet potentially, yeah, a bracelet
5 could do it, that's one possibility.

6 MS. ALIZADEH: Would the bracelet have to
7 have been a hard object?

8 A It depends on how the injury occurred.

9 MS. ALIZADEH: Okay, all right.

10 A I can't add any more to that.

11 MS. ALIZADEH: Let me ask you this. We
12 see a bracelet now on the right hand, was there an
13 identifying bracelet on the left hand?

14 A I don't recall there being one on the left
15 hand, no. But I know that it is not a postmortem
16 abrasion because we can see that same abrasion on
17 the autopsy photographs in the first case and there
18 wasn't that bracelet there yet.

19 So in this image here now we can see
20 entrance gunshot wound to the right forearm and that
21 couples with the exit gunshot wound that we saw on
22 the anterior surface of the right forearm in
23 previous images.

24 Q (By Ms. Alizadeh) And so this wound have
25 been, entrance wound would have been on the other

1 side of his forearm, correct?

2 **A** Correct. This is the entrance wound for
3 this gunshot wound, and we saw the exit wound when
4 he was laying on his stomach and that was on the
5 right forearm in this location on the anterior
6 surface.

7 **Q** And I just lost what I was going to say.
8 Looking at the x-rays of his extremities, were you
9 able to tell if this gunshot wound impacted any
10 bone?

11 **A** Correct. The right ulna was fractured and
12 we picked that up on x-ray.

13 **Q** And was that fracture consistent with a
14 bullet passing through his forearm?

15 **A** It was 100 percent consistent with a
16 bullet trajectory with an entrance wound here and an
17 exit wound here, going through the right ulna.

18 MS. WHIRLEY: Two things. One is this
19 wound consistent with being, with him being shot
20 from behind, you understand what I'm saying? If
21 someone is pursuing him and shooting, is that wound
22 consistent with receiving that shot in that way?

23 **A** Right, obviously, a difficult question to
24 answer because as you know, our arms can do all
25 sorts of things in three dimensional space. And,

1 you know, the shooter versus who is receiving the
2 bullets, it also depends on where they are in three
3 dimensional space.

4 So if you are asking me could a shot
5 from his backside produce that, I say yes. Because
6 as you are running, if your arm is down like this,
7 that surface, that very surface of your arm is
8 exposed posteriorly. So a bullet coming from behind
9 you could cause that injury.

10 Could it come from the front side?
11 Yes, depending on how your arm is. If your arm is
12 out in front of you like this, a bullet impacting
13 here could still exit here.

14 If your arms are up like this, they
15 have to be rotated in order for the bullet to impact
16 here if the shooter is directly ahead of you. It's
17 difficult, but I think there is a lot of different
18 scenarios that can explain that trajectory.

19 (indicating)

20 **Q** (By Ms. Alizadeh) So the last posture that
21 you demonstrated with your arms above your head.

22 **A** Yes.

23 **Q** You said you believe your palms would have
24 to be rotated?

25 **A** Correct.

1 **Q** So I can describe for the record, you had
2 your palms facing each other?

3 **A** Correct. If my palms face outward like
4 this, that part of the arm is starting to go
5 lateral. The shooter in my opinion if you are
6 facing like this would have to be at that angle. In
7 order to go through your arm here and exit here. It
8 is kind of going leftward, right. If your arms are
9 up like this. If you rotate in, then the bullet can
10 come more face on.

11 MS. WHIRLEY: And that's assuming that the
12 shooter is right in front of you?

13 **A** That's correct.

14 MS. WHIRLEY: If the shooter is diagonal
15 to you?

16 **A** If the shooter is diagonal, right, then I
17 believe your palms can be facing forward, but you
18 are not directly whoever is shooting you at that
19 point.

20 MS. ALIZADEH: And this is the right arm,
21 correct?

22 **A** This is his right forearm.

23 **Q** (By Ms. Alizadeh) So if the shooter were
24 on his side, it would have to be on his right side?

25 **A** Correct.

1 MS. WHIRLEY: Ready?

2 A Just a close-up image of the entrance
3 gunshot wound to the right forearm. Just some
4 pertinent negatives that we see here, we don't see
5 any close range discharge of a firearm, any evidence
6 of that.

7 When I say that, that means
8 stippling, which is essentially impact with the skin
9 with burning and unburned gunpowder particles. We
10 don't see any soot, which is a product of combustion
11 of the gunpowder, we don't see any deposition of
12 that.

13 I don't see any searing or muzzle
14 imprint that would have occurred if a gun was right
15 up against that forearm. So no evidence of that.

16 Q (By Ms. Alizadeh) And just to jump ahead a
17 bit so you're not repetitious. We will talk about
18 the hand injury separately, but other than the hand
19 injury, were any of the other gunshot wounds in your
20 opinion, did you observe any soot or stippling on
21 any of those other injuries?

22 A On none of the other injuries did we see
23 any soot, stippling or unburned gunpowder particles.
24 No evidence of close range discharge of a firearm on
25 any of these gunshot wounds except for the one you

1 had just mentioned on the hand.

2 And again, we have to preface that by
3 saying I did not have the clothing to inspect at the
4 time of this autopsy. And furthermore, this could
5 have been the third autopsy, the body has been
6 embalmed, washed several times. A lot of that stuff
7 can start to wash away. Stippling doesn't, but soot
8 can.

9 And the other thing to say is the
10 gunshot wound to the top of the head, Michael Brown
11 had pretty thick hair. So a lot of times hair can
12 actually prevent deposition of soot, stippling.

13 **Q** Okay. Can you describe this injury, this
14 wound?

15 **A** This here is an entrance gunshot wound to
16 the upper right arm. We saw the exit wound earlier
17 that was closer to the armpit. So this entrance
18 wound was here on the right lateral arm and the exit
19 was under the arm here by the armpit area.

20 And again, just a close-up image of
21 the same.

22 **Q** Next image?

23 **A** Okay. So we have two things we can talk
24 about here. One is an entrance wound, gunshot
25 entrance wound of the right clavicle region and here

1 is a gunshot exit wound on the right chin.

2 Q All right.

3 A Again, close-up image here of the entrance
4 gunshot wound to the right clavicle area.

5 So here we have two things we can
6 talk about. This is a graze wound, a graze gunshot
7 wound on right forearm, excuse me, right upper arm,
8 that's basically in the bicep area. That would be
9 about here on my arm.

10 This here is just another angle on
11 that entrance gunshot wound to the upper right arm.
12 (indicating)

13 Q Can you tell anything from that graze
14 injury that you are circling right now about the
15 direction of travel of the bullet?

16 A So we cannot, I cannot. We talked about
17 earlier with that thumb wound, a lot of the ways
18 that we can tell trajectory on some superficial like
19 this is with the skin tags. Well, you guys can see
20 just as well as I do there are no skin tags here.

21 Sometimes we can start to guess by
22 the direction of the abrasions. We don't really
23 have anything that I can go on here to tell the
24 trajectory of this fire.

25 Q All right. And the next image?

1 here on the right clavicle area was another entrance
2 gunshot. So I guess we haven't talked about that.

3 So the total amount of the gunshot
4 wounds in this case is eight. There is eight
5 separate gunshot wounds.

6 Now, the possibility exist that the
7 gunshot wound to the right clavicle and the gunshot
8 right lateral chest could be reentry gunshot wounds.
9 The gunshot wound to the arm or the gunshot wound to
10 the forehead. It is my opinion that entrance wound
11 here on the right clavicle is likely a reentry wound
12 from that exit right there on right chin because the
13 trajectories line up perfectly.

14 **Q** (By Ms. Alizadeh) And then regarding the
15 entrance wound on the chest being a reentry of a
16 gunshot wound to the forearm, would that all just
17 depend on how that forearm was positioned when the
18 bullet passed through it?

19 **A** Correct. This gunshot wound on the upper
20 right arm, which we've seen and then the exit was
21 here, under the axilla basically. I have a hard
22 time with that one coming from my body and causing
23 this entrance gunshot wound to the right lateral
24 chest, which we actually have not seen a picture of
25 yet. The trajectory doesn't make sense in my mind.

1 The arm would have to be pretty up
2 and over across the chest in order to come back,
3 because that's where they got the bullet. It
4 entered here and recovered it down here on the right
5 lower flank. It is challenging, but the forearm one
6 I do think could line up with that lateral chest if
7 it was out like this. (indicating)

8 **Q** All right. And this is also that wound --

9 **A** This is the exit wound on the jawline
10 there on the right side of the face.

11 : Question, Dr. ,

12 .

13 **A** Yes.

14 : In order for a bullet to
15 enter twice, go in, come out and enter, do you have
16 to be close or far away for that to happen?

17 **A** You do not, no. It is really going to
18 depend on the type of weapon, the type of ammunition
19 and what structures the bullet hits as it goes
20 through the body. It's a very complicated question.
21 But at this range, I'm actually not sure what weapon
22 was used and I do not know the caliber. I can't
23 really comment any further on that.

24 : Okay. Thank you.

25 **Q** (By Ms. Alizadeh) This next image you see

1 injuries to the right eye and above the eye?

2 **A** Correct. So now we can see the entrance
3 wound that was on the forehead here. It is a little
4 bit to the right of the midline.

5 This gunshot wound actually caused
6 these lacerations both here to the right eyebrow and
7 to the upper right eyelid here as it passed
8 underneath of it. It also ruptured the right eye.
9 I didn't even see it at the time of our autopsy. It
10 was essentially obliterated.

11 It fractured several bones in the
12 face and then it exited right here. (indicating)

13 **Q** And that is a bullet that you said
14 possibly could have then reentered the clavicle
15 area?

16 **A** Correct. So minimum number of gunshot
17 wounds is six in this case and then eight total
18 depending on whether or not you believe these are
19 reentry wounds, you can drop the total number of
20 gunshot wounds to six. Again, just a closer up
21 image.

22 **Q** Let me just clarify that. When you talk
23 about gunshot wounds, if each wound is separate.

24 **A** Right.

25 **Q** I think there is some confusion. We've

1 heard about other possible opinions. So how many
2 total gunshots wound were on Michael Brown's body?

3 **A** If you want to count entrance and exist
4 separately?

5 **Q** Right.

6 **A** See, I haven't been doing that. When I
7 say eight total gunshots wounds, I just mean
8 basically eight bullets went through his body.

9 **Q** Okay.

10 **A** If you want to drop the number to six
11 total bullets went through his body, you would have
12 to consider this and these two injuries on the right
13 chest as reentry wounds.

14 **Q** Okay. I didn't want there to be some
15 confusion that you thought there were only eight
16 wounds on the body that were caused by a bullet.

17 **A** No.

18 **Q** Okay. All right. So the next slide we
19 see is another image of the entry wound in the
20 forehead; is that right?

21 **A** That's correct. This is a closer up image
22 of that.

23 **Q** And again, you do not believe that this
24 was a close contact wound?

25 **A** I do not. There would have been,

1 obviously, in this situation no clothing over the
2 body. He wasn't wearing a hat, his hair wasn't
3 here, we see no stippling, no soot, no muzzle
4 imprint, no searing of his skin, no evidence.

5 Q This bullet would have traveled downward
6 through the eye and then exited out the jaw?

7 A Correct.

8 Q And so given that Michael Brown was about
9 6'5", the bullet would of had to have entered and
10 traveled downward, correct?

11 A Correct.

12 Q So the barrel of the gun would have to
13 have been above, when I say above, it had to enter
14 from this direction, correct? (indicating)

15 A Correct. Again, it is difficult with
16 trajectories. We have to imagine, there is a lot of
17 different scenarios to explain this trajectory.
18 Yes, if you just look at it in anatomic position, it
19 goes sharply downward to the right and a little bit
20 backward. I can manipulate my head in all sorts of
21 ways in three dimensional space where the shooter,
22 if we don't know where he is, there is a lot of
23 different ways to get that wound.

24 So we don't know anything
25 whether or not he was falling or whether he bent

1 over to charge or whether he was just bending?

2 **A** Correct, yeah. I can't tell you from this
3 what he was doing, that's correct.

4 **Q** (By Ms. Alizadeh) Okay. The next slide.

5 **A** Okay. So here they're just taking a more
6 close-up image of the skin lacerations that were the
7 result of that entrance gunshot wound. So again
8 here, eyelid and eyebrow.

9 **Q** But those were caused by the bullet
10 passing through?

11 **A** Correct.

12 **Q** That's not like a blunt force?

13 **A** We don't have any reason to suspect these
14 are separate injuries. We believe, I believe that
15 they were caused by that single gunshot wound to the
16 forehead.

17 **Q** Okay. Next one?

18 **A** So left hand, we just noticed a few
19 defects here, some small abrasions. Dr.
20 also mentioned some possible abrasions, I think he
21 calls them postmortem at times. Bottom line, I
22 wouldn't make a big deal of these abrasions. They
23 could have been there before.

24 : I have a question going
25 back to the one in the head. I just want to make

1 sure that I understand. Would it have been
2 possible, from what I'm reading, you're not
3 indicating that somebody was standing over here,
4 that's not indicated or standing over him at a close
5 range?

6 **A** That's possible. When we say close range,
7 it still did not deposit any evidence of close range
8 discharge on --

9 : So not as close as we
10 are?

11 **A** Not as close as we are. That's a whole
12 another topic. I don't know if we want to get into
13 that, you may have already addressed it, you know,
14 at what distance does this stuff still deposit onto
15 the skin. And I can just give a ballpark average,
16 I'm not a ballistics expert, but with a standard
17 handgun, it's about 2 feet to still get stippling
18 and then within a foot you can get soot.

19 So if I'm two feet away from you with
20 a standard handgun, standard ammunition, you are not
21 going to have any evidence of close range discharge.

22 So having said that, this
23 is 12. It is at least 2 feet away?

24 **A** Ballpark, yes, 2 feet away. But the
25 weapon needs to be test fired with the exact same

1 ammunition that was used.

2 I understand. That's an
3 educated guess.

4 **A** Educated guess I would say greater than
5 2 feet.

6 Okay.

7 MS. ALIZADEH: All right. The next image?

8 **A** So just a closer up image here, small
9 discoloration here and then an abrasion there.
10 Again, I cannot tell you what caused that and I
11 really wouldn't put much significance into it.

12 MS. WHIRLEY: And the abrasion, you can't
13 even say if it was contemporaneous with the
14 shooting.

15 **A** I cannot. I would have to re-review all
16 the images from the first autopsy again. I remember
17 seeing some of these abrasions there, but I believe
18 Dr. called them postmortem, which it is
19 possible. Once the body is down on the ground, it
20 is being manipulated and put in a body bag,
21 abrasions can still occur.

22 MS. WHIRLEY: Is that what a fingernail
23 would look like?

24 **A** This?

25 MS. WHIRLEY: The other one?

1 **A** Here?

2 MS. WHIRLEY: Yeah.

3 **A** No, a lot of the fingernail abrasions that
4 we see in forensics are in cases of strangulation
5 and they literally look like curve linear little U
6 shaped abrasions. This kind of just looks irregular
7 to me. I don't really have an opinion on it.

8 MS. ALIZADEH: This is the right hand?

9 **A** Could you go back. This is the left hand
10 here.

11 MS. ALIZADEH: All right. Left hand,
12 good.

13 MS. WHIRLEY: Done with that?

14 MS. ALIZADEH: Yes, sorry.

15 MS. WHIRLEY: No problem. I'm going back,
16 sorry, let me go forward.

17 **A** So now we're moving back over to the right
18 hand here and again, some small defects, a little
19 abrasion here and there. I really, again, I cannot
20 tell you what caused them, just small abrasions.

21 So now we're looking at his right
22 flank. I had the photographer take this image cause
23 now you can see the trajectory of this gunshot
24 wound.

25 Here is the entrance gunshot wound to

1 the right lateral chest and this is where they
2 recovered that round that we saw that defect from
3 the prior autopsy. It is basically going front to
4 back and a little bit downward.

5 And while it goes through this
6 course, it doesn't actually enter the chest cavity,
7 but it fractures the eighth rib and a splinter of
8 that bone actually injured the right lung on the
9 lower lobe.

10 Q (By Ms. Alizadeh) This is a gunshot wound
11 from the front?

12 A Correct, correct. Here is your entrance
13 here and this is where the round was recovered.

14 Q And in this image, because we can't see
15 the hands or feet of the body, where is his head?

16 A His head would be up to this side and the
17 feet would be down here. (indicating)

18 Q So that's on the right side?

19 A Correct, this is the right side of his
20 body here.

21 Q All right.

22 MS. WHIRLEY: Would that wound have
23 disabled him?

24 A No, it is not in my opinion that wound
25 would have disabled him. It would hurt, but it

1 wouldn't disable him.

2 **Q** (By Ms. Alizadeh) If someone received that
3 wound, could they run 25 feet?

4 **A** Yes, yes. Again, another image here. We
5 kind of put his arm back and you can see his armpit
6 and again entrance gunshot wound here, and that is
7 where they recovered that round.

8 Just because we haven't mentioned it
9 yet, we haven't really described that other wound
10 here on the right clavicle. What it did on the
11 inside he had about 400 milliliters of blood in his
12 right chest cavity. That's about like a can of
13 Coke. A can of Coke is about 350 milliliters, so a
14 decent amount of blood in his right chest.

15 And again, this fractured a rib that
16 caused injury to the lung and that gunshot wound to
17 the right clavicle area fractured the clavicle and
18 then it went right through the upper lobe of the
19 right lung. So quite a bit of injury to the right
20 side of his chest.

21 MS. ALIZADEH: We're going to ask the same
22 question. If someone received those injuries that
23 then injured his lung, would that immediately
24 incapacitate a person?

25 **A** They would not.

1 **Q** (By Ms. Alizadeh) Is that a fatal wound?

2 **A** It could be. We talk about gunshot
3 wounds, it could be fatal to your leg. I mean, it
4 doesn't matter over time anything can be fatal.
5 Instantly fatal, no.

6 **Q** So this person, Michael Brown, if he had
7 received those two gunshots, he would still be able
8 to stand?

9 **A** Yes.

10 **Q** Could he run?

11 **A** Yes.

12 **Q** Could he perceive things?

13 **A** Yes.

14 **Q** And see things and speak?

15 **A** Yes.

16 **Q** Make sound?

17 **A** Yes.

18 MS. WHIRLEY: Would he be able to raise
19 his hands up towards his head?

20 **A** Yes, but with pain. Now you have a
21 fractured clavicle, you have a gunshot wound through
22 the right side of your chest. It is going to hurt
23 to raise your arm, but yes.

24 MS. ALIZADEH: What about, you mention
25 that the lung was actually pierced by the 8th rib,

1 but also that the bullet entered the lobe of right
2 lung?

3 **A** Correct.

4 **Q** And there was a significant amount of
5 blood from that, which I imagine you did not see in
6 your autopsy?

7 **A** Of course, that's correct. A lot of these
8 things that I'm telling you I could not tell from
9 our autopsy. We actually had to go back and look at
10 Dr. report and his images. And that's
11 because, as you can imagine, after the autopsy, all
12 of these organs have been dissected and they're
13 dissected again on a second autopsy. And they're
14 put in a bag and they are in the abdominal cavity
15 after embalming.

16 So we basically just have a bag of
17 organs to look at. We lay them all out, we do our
18 best, but it can be challenging to interpret gunshot
19 wounds at that point.

20 **Q** If the lung was injured in two different
21 places at that point, could a person scream loudly?

22 **A** Yes, I see no reason why a person couldn't
23 scream loudly.

24 **Q** And the other lung was uninjured by any
25 gunshots wounds, correct?

Page 120

1 **A** Correct.

2 Q Even a person with one lung can speak, can
3 yell and scream, correct?

4 **A** Correct.

5 But a
6 person of that size where only one chest cavity,
7 might be very short of breath, he might be short of
8 air.

9 **A** To answer your question, yes. That
10 undoubtedly would make it difficult to breathe with
11 two gunshots affecting your right chest,
12 undoubtedly.

13 You said that
14 entrance wound and it affected the lung and the rib
15 was broken?

16 **A** Correct.

17 : And it also affected the
18 clavicle?

19 **A** Correct.

20 : So when someone's hurt, I
21 know when I'm hurt for whatever reason, my instinct
22 is to do this? (indicating)

23 **A** Correct.

24 : My instinct when I'm in
25 pain would not be to do this, not arms up, but that

1 could be misleading. If we were hurt like that, our
2 instinct would be to bend over?

3 **A** I agree, that's possible.

4 : Okay. And he would be
5 out of breath, so he'd be breathing heavy probably.

6 **A** Yes, he would have labored breathing. It
7 would be difficult to get a good breath at this
8 point.

9 With that
10 same out of breath, he's out of breath, maybe he
11 can't move as fast?

12 **A** Oh, I agree, undoubtedly. These are
13 definitely, they're not fatal gunshot wounds, but
14 they are difficult to breathe afterwards. I mean,
15 having any injury to your right chest like that.
16 Once a bullet goes through your lung, a lot of
17 things can start happening physiologically. Your
18 lungs can shrink down, it can be a real difficult
19 time to breathe after that.

20 : You could still run or
21 walk?

22 **A** You have a lot of reserves, especially in
23 a certain situation like this and you have a lot of
24 adrenaline going, you will be surprised what you can
25 do after you receive a gunshot wound.

1 So, yes, I think he could still walk,
2 run, talk, and do all of that despite having injury
3 to his right chest.

4 MS. WHIRLEY: Okay.

5 **A** So now we are looking at the other side of
6 the body. We don't have any injury here to talk
7 about.

8 And again, left forearm, that injury
9 we talked about before that superficial incised
10 wound.

11 Just a close-up image here of his
12 tattoo.

13 And now we're just going to do our
14 face shot. These are our standard shots after we
15 are done. Nothing new here. You get a little bit
16 better appreciation of all the abrasions that he had
17 suffered here above the right eye and below the
18 right eye.

19 MS. WHIRLEY: The wound to the top of the
20 head we have not seen yet, correct?

21 **A** The wound to the top of the head we have
22 not seen yet. And I'll just make a quick comment
23 about these abrasions because I know it will come
24 up.

25 These are abrasions, blunt force

1 injury. The body impacts a foreign object or a
2 blunt object impacts the body. I believe they are
3 consistent with a terminal fall. We see it all the
4 time, heart attacks, people all the time get
5 abrasions on the prominences of their face when you
6 hit the ground if you can't protect your head with
7 your hands.

8 MS. WHIRLEY: I don't know if we will see
9 this in this light, but there was testimony that he
10 fell to his knees before falling on his face.

11 A Okay.

12 MS. WHIRLEY: Did you see any injuries to
13 his knees?

14 A We did not see any injuries to his knees.

15 MS. WHIRLEY: You would have looked at the
16 whole body?

17 A Yes, ma'am, head to toe.

18 : I have one question,
19 On that forehead shot, I know we talked
20 about some of the lungs, would he be able to still
21 stay on his feet and run?

22 A In my opinion that would be a very
23 disorienting thing to experience. If you think
24 about it, you have a gunshot wound going from here
25 through your right eye and exiting. Fracturing

1 multiple bones of your face in the process. Your
2 brain is not far away. So just think about
3 concussive effects of a bullet passing through your
4 forehead.

5 We've seen people knocked out from
6 punches, now imagine a bullet going through
7 forehead. Yes, I believe it could be very difficult
8 to not be disoriented after receiving that.

9 MS. WHIRLEY: What's disoriented going to
10 look like?

11 **A** That's just going to depend, you could
12 just look like you're in a stooper, it is going to
13 depend.

14 MS. WHIRLEY: But it would not have put
15 him down necessarily?

16 **A** I think it is just going to depend on
17 situation. It depends on his constitution. I can
18 see this putting somebody down.

19 MS. WHIRLEY: Was it the fatal shot in
20 your opinion?

21 **A** This is not in and of itself an instantly
22 fatal gunshot wound, no.

23 MS. WHIRLEY: Okay.

24 . Would it
25 look like he was drunk or something like he was

Page 125

1 staggering.

2 **A** I believe that's possible, yes, I believe
3 that's possible.

4 . From
5 these can you tell the duration between the time
6 each of these wounds?

7 **A** We cannot.

```
8           : Going back to back,
9 seconds in between now.
```

10 **A** We cannot do that.

11 This is a stupid
12 question, but you can't even tell if those abrasions
13 were happening, what time they happened?

14 **A** We can't. I mean, this all happened, I
15 assume, within the same window of time. None of
16 these injuries look like they are from weeks ago or
17 anything.

18 So the image of the left side of the
19 head. Now you can see that some more prior autopsy
20 artifact he has had, his scalp reflected and there's
21 sutures in there.

22 MS. WHIRLEY: What do you mean by scalp
23 reflected?

24 **A** So during the autopsy, we take our scalpel
25 blade and run it over the vertex of the scalp behind

1 the ears and then reflect the scalp over the face in
2 order to get access to the brain, and it has already
3 been done.

4 Now we are looking at the other
5 gunshot wound.

6 Go ahead.

7 : I'm .

8 You said that he would be dizzy or disoriented, but
9 if he has 12 nanograms of marijuana or 45 nanograms
10 for marijuana in his body, that could let him do,
11 not to feel that much, the pain or the part what is
12 going on?

13 **A** I understand your question and I'm going
14 tell you guys this. I'm not a forensic
15 toxicologist. These levels of marijuana, I don't
16 make any sense of them myself. I don't want to go
17 there. I don't know its affects on him. I know
18 general affects of marijuana, but I can't say
19 whether or not that would make him impervious to
20 pain. I would prefer not to comment.

21 Thank you.

22 MS. WHIRLEY: Still on this slide, I
23 think.

24 **A** Okay. This gunshot we are looking
25 straight down on the top of his head at this point.

1 His abdomen would be up here, his back is against
2 the gurney.

3 So this has been previously shaved,
4 Dr. had done this, I did not. And now we
5 can see an entrance gunshot wound basically right at
6 the top of your head right here. And I think we
7 have a closer up image if we can go one more. Go
8 one more, maybe we have one more. Go ahead one
9 more. Maybe we don't, can you go back one more,
10 sorry.

11 MS. WHIRLEY: Okay.

12 **A** Sorry.

13 MS. WHIRLEY: No problem. I'm trying to
14 go back right.

15 **A** Slightly closer up image of that entrance
16 gunshot wound to the top of the head. These red
17 marks that you guys are seeing here, that was caused
18 by the scalpel blade when Dr. had basically
19 taken the hair off. Those are abrasions for where
20 the scalpel kind of ran across the top of the skin.
21 Now we have an entrance gunshot wound here. Again,
22 we don't see any close range discharge with the
23 firearm.

24 MS. ALIZADEH: And just let me ask you
25 this. This is an entrance wound, correct?

1 **A** Correct.

2 MS. ALIZADEH: Was there a corresponding
3 exit wound for this injury?

4 **A** There was not. On the x-rays we noticed a
5 projectile on kind of the right side of his head.
6 So this particular trajectory through the head went
7 downward and to the right. It went right through
8 the right hemisphere of the brain, clear through it.
9 Clear through the parietal lobe, clear through the
10 temporal lobe and then they recovered a bullet next
11 to the base of the skull beneath the brain, and that
12 was also fractured.

13 So there were skull fractures at the
14 top of the head and also at the base of the skull
15 and quite a bit of what we call intracranial
16 bleeding. There was a lot of blood associated with
17 this bullet going through the head.

18 MS. ALIZADEH: Can you explain what the
19 effects of this wound would have been on Michael
20 Brown?

21 **A** Right. So, you know, we had some debate
22 with the gunshot wound to the forehead if that could
23 immediately debilitate you and make it impossible to
24 have purposeful movement. This gunshot wound, in my
25 opinion, would be instantly fatal.

1 have hit his knees on the way down.

2 : If he had hit his knees,
3 is it possible to be no abrasion on his knees?

4 **A** Well, I took a look at his clothing, which
5 we didn't have and obviously he wasn't clothed when
6 he came in for his third autopsy, but his shorts do
7 seem to go beyond his knees, around the level of the
8 mid calf. I think they would have offered some
9 protection to his knees, so it is possible he could
10 have hit his knees on the pavement and not gotten an
11 abrasion.

12 MS. ALIZADEH: Now, hold on. Is this the
13 last gunshot wound.

14 **A** Well, we haven't talked in full detail
15 about the one to thumb yet.

16 MS. ALIZADEH: Those pictures we have
17 already seen, correct?

18 **A** Correct.

19 MS. ALIZADEH: So to spare everybody from
20 looking. If you want to see those other images that
21 show the eye and the mouth, let me ask you this, you
22 talked about the injury to his right eye. Did you
23 notice anything remarkable about his mouth?

24 **A** Uh, no. So when we open the mouth, we are
25 going to look at the teeth and make sure they're all

1 intact. We also look at the frenulae, that's
2 basically the part that attaches the gum to your
3 lip, that was all intact. I didn't notice any
4 significant injury to the mouth.

5 The tongue was still in place, we
6 took the tongue out and we didn't see in any
7 injuries to the tongue either.

8 MS. ALIZADEH: All right. Did you examine
9 the neck of Michael Brown?

10 **A** So with any autopsy we first look at the
11 exterior of the neck, we didn't see any lesions at
12 all on the surface of his neck, any lesions on the
13 back of his neck.

14 MS. ALIZADEH: What's a lesion, Doctor?

15 **A** Any kind of a defect out of the ordinary
16 that you wouldn't expect to see. So we didn't see
17 any abrasions, any contusions, any lacerations, no
18 injuries.

19 During the autopsy you reflect the
20 skin over the face and then we can look at
21 underneath, we can look at all of the musculature of
22 the neck, we can look at the deep layers of the
23 skin. We didn't see any evidence that there was any
24 bleeding in any of the neck structures.

25 MS. ALIZADEH: Did you notice either

1 through your autopsy or examining the photographs
2 and the report from Dr. autopsy, did you
3 note that there was any bruising to the neck area?

4 **A** No, we did not, nor through our
5 examination or review of what Dr. had done,
6 there was no injury to any of the neck structures.

7 MS. ALIZADEH: We talked yesterday a
8 little bit about this bruising is a very difficult
9 thing to interpret, would that be fair to say?

10 **A** Correct.

11 MS. ALIZADEH: And people bruise
12 differently?

13 **A** Correct.

14 MS. ALIZADEH: And people have different
15 skin tones, might make it more difficult to see a
16 bruise, visualize a bruise, correct?

17 **A** Correct.

18 MS. ALIZADEH: Can you see a bruise after
19 you've reflected the skin?

20 **A** Yes, especially once we reflect the skin,
21 you can actually see, a real bruise is going to
22 diffuse in the skin beneath.

23 So you can take your scalpel blade
24 and run it over a bruise. If there is contusion or
25 bleeding underneath that's consistent with a true

1 bruise. But in this situation, we didn't see
2 anything like that in the neck.

3 : Just so I understand what
4 you're saying, I know I want to make sure that I
5 understand it.

6 It is easier to see a bruise under the
7 skin than it is on the skin?

8 **A** Oh, no, not necessarily. It depends on
9 the skin tone, it depends, you know, how old you
10 are, because it is pretty easy to see bruising on
11 some people that are over the age of whatever. Very
12 old people have thinner skin.

13 MS. ALIZADEH: Be careful, Doctor.

14 **A** That is true.

15 : Just because they
16 couldn't see it doesn't mean the bruising wasn't
17 there?

18 **A** There was no evidence of bruising.

19 MS. ALIZADEH: Again, visually on the
20 surface, no evidence of bruising, correct?

21 **A** Correct.

22 MS. ALIZADEH: And then when you reflected
23 the skin and examined the tissue under the skin, no
24 evidence of bruising?

25 **A** Correct.

1 MS. ALIZADEH: And I asked you yesterday,
2 and I know that this is asking you to speculate
3 somewhat, but have you seen strangulation injuries?

4 A Yes, ma'am, I have.

5 MS. ALIZADEH: And strangulation, have you
6 seen strangulation injuries that are caused by a
7 human hand as opposed to like what do you call it?

8 A Ligature.

9 MS. ALIZADEH: Ligature.

10 A I have, yes.

11 MS. ALIZADEH: Just for speculation
12 purposes, and I know that this is, I'm asking you to
13 use your medical expertise and if you can't answer
14 this, just simply say I couldn't tell you. But if
15 someone were to have grabbed Michael Brown around
16 his throat with one hand and were to have hold
17 tightly enough with that grasp so that Michael Brown
18 could not get away from that grasp, would you expect
19 to see bruising?

20 A I would, I would. Strangulation in
21 general is a very violent act and it requires a lot
22 of force. And in order to do it effectively, you
23 need to be doing it hard. So you are going to have
24 some evidence usually, yes, I would expect to see
25 it.

1 MS. ALIZADEH: Not impossible that there
2 wouldn't be any evidence.

3 A I would say that's true.

4 MS. ALIZADEH: Or maybe if his grasp was
5 momentary as opposed to lasting several seconds,
6 that would affect maybe whether you would see
7 bruising?

8 A I agree.

9 MS. ALIZADEH: So I don't recall if there
10 are any other injuries that you photographed. I
11 would like to go back and talk about the injury to
12 the palm now.

13 A Yes.

14 MS. ALIZADEH: Would it help you to
15 explain your findings if we were to use photographs,
16 because you've seen the photographs that
17 Dr. , that were taken during Dr.
18 autopsy; is that correct?

19 A Yes, ma'am, correct.

20 MS. ALIZADEH: By the time you examined
21 the body, the appearance of that wound was somewhat
22 different?

23 A Yes.

24 MS. ALIZADEH: Would it help you to
25 explain your findings to look at the photographs

1 that Dr. look or would it be better for you
2 to use the photographs that you took?

3 **A** I would prefer to use Dr.
4 photography on this.

5 MS. ALIZADEH: Okay. I've got some
6 photographs, and Sheila can you switch it so we can
7 do that. And these are again photographs that were
8 taken during the autopsy by Dr. , which are
9 contained in State's Exhibit Number 7.

10 I'm going to show you one that is Image
11 Number 49, and the picture is always clearer than it
12 is when I put it up on the overhead here. So if you
13 need to look at it on the overhead, I can have that,
14 I can show you the picture, but can you see it
15 clearly enough to describe what you need to see to
16 describe your conclusions about this injury?

17 **A** Yes, ma'am.

18 **Q** Explain for the jurors, you have already
19 talked about this being a tangential wound and you
20 describe what that means. There is no bullet
21 recovered in this wound; is that correct?

22 **A** Correct.

23 **Q** You believe this was caused by a blunt
24 force as oppose to like a sharp object?

25 **A** I believe this was caused by a gunshot

1 wound.

2 **Q** Why do you think that?

3 **A** So this is very classic once again for a
4 tangential gunshot wound. It was going in this
5 direction towards the base of the palm here and the
6 reason why I can say that is because whenever these
7 injuries occur, these skin tags will form and they
8 point toward the direction from which the bullet
9 came.

10 So you can appreciate them a lot
11 better here than you can on our autopsy photograph.
12 And again, the body has been embalmed, the tissue's
13 kind of contract and it makes it difficult, but you
14 can appreciate several tags that point in that
15 transaction.

16 Trajectory is going this way, and in
17 this area, and I know it is difficult to appreciate
18 projected on the screen, but I'm sure you guys have
19 seen these photos in person before.

20 Again, I would suggest you take a
21 close look at this area. This was from the first
22 autopsy, it is after he washed the hand, but before
23 he took sections of it for special microscopies. He
24 was interested in this area right here which is the
25 origination of this gunshot wound where the bullet

1 came from.

2 So right in this region, the skin is
3 slightly discolored, it's a little gray in
4 comparison to the skin around it. It's hard to see
5 on the screen, but on these images you can see it up
6 close. That is our interpretation of soot. It is
7 from the barrel of the gun.

8 And we can confirm that when we take
9 this and we look at it under the microscope and we
10 can see the soot deposits on the skin and within the
11 gunshot wound track. (indicating)

12 **Q** Now, at some point after your autopsy, did
13 you receive some slides and some photographs of
14 slides that were sent to you by ?

15 **A** Yes, ma'am, I did. took
16 photographs of a slide from this location and sent
17 it to me and then eventually sent me a slide to look
18 at under my own microscope.

19 **Q** And we've seen actually a picture of the
20 slide that you have talked about. Actually, the
21 slide we had shown during Dr. testimony I
22 received from the Department of Defense, but the
23 image that you looked at through the microscope, did
24 you draw any conclusions about whether or not there
25 were any foreign particulate in the tissue?

1 **A** Yes. So what we're actually seeing, if
2 you guys have already seen this image, it might be
3 easier to explain. Little black deposits, foreign
4 material. It is highly consistent with soot.

5 And again, we see the same thing in
6 people that are in and around fires that get smoke
7 inhalation. Inside the airways when we look at it
8 under the microscope, we can see soot deposits, this
9 black particulate matter.

10 I don't know what else it is, it's
11 soot.

12 **Q** Could it be dirt?

13 **A** It is not my opinion that it is dirt.

14 **Q** What does it tell you then if you looked
15 at the tissue samples provided to you by
16 Dr. as well as the pictures of the slides
17 that he has taken. What is your conclusion once you
18 determine that he believed that there is soot
19 deposited in the tissue that you examined?

20 **A** That this is officially close range
21 discharge of a firearm at this point. This entrance
22 wound was extremely close to the barrel of the
23 weapon.

24 **Q** And what would be the farthest distance
25 that you would consider before you would say it was

1 not close range?

2 **A** So we discussed this briefly before,
3 again, in order to get soot deposition, standard
4 ballpark number, standard handgun about one foot
5 out. After two feet, you no longer see soot
6 certainly, and you don't see any gunpowder
7 stippling. Beyond 2 feet you won't see any evidence
8 of close range discharge.

9 **Q** Did you see any gunpowder stippling?

10 **A** We did not see any gunpowder stippling on
11 any of the gunshot wounds in this case.

12 **Q** It is your opinion that the foreign
13 particulates, the little black specs that you saw on
14 the slide that was the tissue sample from the wound
15 of the right hand, that that was soot and that would
16 indicate to you that it was a close range wound?

17 **A** Correct.

18 **Q** Did you do any gunshot residue test on
19 that wound?

20 **A** We do not. The Arm Forces Medical
21 Examiner System does not do gunshot residue testing.
22 It is usually through CID or NCIS, one of the other
23 investigative agencies. We did not do that in this
24 case.

25 Moreover, it would have been for the

1 most part useless. The body had been autopsied
2 twice before, it has been washed a number of times,
3 embalmed, it wouldn't have given anything valuable
4 at that point.

5 . I was writing
6 while you're talking. Are you saying in order to
7 get soot they would have to be one foot or closer?

8 **A** Within a foot, ballpark within a foot.
9 With gunshot wounds, if it is right up against the
10 skin, at that point all the soot is going to be
11 inside the wound. It's not going to deposit around
12 the skin because the muzzle is pressed against the
13 skin.

14 As you start to pull back, the other
15 thing you will see when it is right up against is a
16 muzzle imprint. You can actually see the barrel
17 basically abraded and stamped right on the skin
18 around it.

19 So you will soot and you also get
20 searing of the skin, like a thermal burning affect
21 from the hot gases of the gun going onto the skin.

22 As you start to move the weapon back,
23 the soot will start to disburse around the entrance
24 wound a little bit and then as you move further and
25 further back, you will start to get stippling. And

1 what stippling is, is basically the gunpowder
2 particles, both burning and unburning that come out
3 of the barrel, they pepper the skin, it's abrasions.
4 They are not burns, they're abrasions from the
5 gunpowder hitting the skin.

6 You usually have to be a few inches
7 back before you start to get stippling. Soot
8 indicates to me it is close, we're within a few
9 inches here, this is close.

10 : So if it were up close
11 right to the skin, it would be almost like a
12 branding.

13 **A** Right, you would see a muzzle imprint. In
14 this case we don't, but remember, though, this is a
15 tangential gunshot wound, the gun is not
16 perpendicular to the skin at this point. So the
17 muzzle, you know, if I'm trying to recreate this as
18 it is in my left hand. The muzzle is going to be
19 like this compared to the skin, it is going to be
20 close, it is not right on it. We would probably see
21 some kind of a muzzle imprint at that point.

22 . Is that the
23 direction that the stippling shows the gun was
24 fired?

25 **A** The direction of fire, again, is this

1 direction. Starts here and goes in that way and
2 that's why the soot is deposited here because that's
3 the closest to the barrel in this location.

4 Q (By Ms. Alizadeh) Now, Dr. , you
5 made a further finding in your examination of the
6 slide or slides, you received several images and
7 slides; is that right?

8 A Correct, yeah. Dr. has sent me
9 at least three or four images of his slide.

10 Q We actually just used one today. For our
11 purposes, it kind of all looks the same to me, if
12 you feel it would help you to explain, we've got
13 images of all of your slides. If I put up the slide
14 that you and I looked at yesterday, would it help
15 you to explain?

16 A Sure, we can go over it, that's fine.

17 MS. WHIRLEY: I'm not sure.

18 MS. ALIZADEH: You figure out how to
19 change it back to this.

20 MS. WHIRLEY: What do you want to do?

21 MS. ALIZADEH: Change it back to this. At
22 the very end of this we are finally getting the hang
23 of that.

24 MS. WHIRLEY: Oh, I got it.

25 Q (By Ms. Alizadeh) What we're looking at,

1 Dr. , you and I met yesterday and we talked
2 about this image and can you describe for the grand
3 jurors what you are seeing?

4 **A** Okay. So we don't really have an
5 establishing low power shot to kind of explain where
6 we are. I can tell you this is the dermal part of
7 the skin, it is the deeper layers of skin.

8 So you have the surface which is the
9 epidermis and under that is the dermis. It's a
10 little deeper, but it's not quite into the fat,
11 which is pretty deep into the skin.

12 So what we are seeing here along this
13 side, this is the gunshot wound track that was from
14 that gunshot wound into the thumb, okay. And what
15 we are seeing along the edge here are these
16 polarizable fragments here, and then this black
17 carbonation looking deposit.

18 This is soot, in my opinion. There's
19 really nothing else on my differential. Dirt, I
20 don't see how dirt is going to get into that wound
21 in this situation and not really be deposited
22 somewhere else on the palm that we're going to be
23 able to see it.

24 Furthermore, when tissue is processed
25 in histology, it is a pretty destructive process and

1 there's a lot of washing, a lot of chemicals. I
2 would think that simple dirt would get washed away,
3 okay.

4 And also look at where this stuff is.
5 It is actually getting inside the tissue a little
6 bit. For dirt to just crawl inside of the tissue, I
7 think it would be difficult to do. I think this was
8 deposited by force with a gunshot.

9 Q So this jagged edge along the right side
10 of the tissue, that's the track of the bullet or it
11 shows the track of the injury at least?

12 A Yeah. So in order for me to explain
13 exactly where this is, I have to go back again to
14 the photograph of the hand. But this is the bullet
15 would have gone right through this location and it
16 would have literally touched and injured this skin.

17 Q Okay. And so you mentioned the polarized
18 particles, are those little white things?

19 A Yeah, these things that are standing out
20 as clear like that and these guys, those are called
21 polarizable foreign debris is what that is.

22 Q And the black things are?

23 A Again, we would just call this soot. I
24 cannot tell you exactly what that is. It's
25 polarizable foreign debris.

1 So if you think about when you shoot
2 a gun, a lot of things come out of that barrel than
3 just a bullet. You have vaporized pieces of metal,
4 all sorts of things coming out. So it could be
5 that, I'm not sure.

6 **Q** Okay. Now, you also made a particular
7 finding about some thermal changes to the tissue?

8 **A** Correct. So thermal changes to the tissue
9 again, it just means it is in close proximity to
10 that barrel, so the heat from the gun can actually
11 thermally coagulate the skin. A lot of what we see
12 in those situations, these are nuclei down here, the
13 nucleus is inside the cell. They can start to blur
14 and stream, it is kind of hard to see these as clear
15 as you would if it hadn't been heated up.

16 Again, here, there is some indication
17 that there were nuclei here, but they're all kind of
18 homogenizing and blurring and blending. It is a
19 soft indicator there was probably some thermal
20 affect here.

21 **Q** And so when a bullet passes through the
22 barrel of a gun, does the barrel itself heat up?

23 **A** The barrel itself would, there is a lot of
24 pressure in that barrel, it would heat up.

25 **Q** And then the gases that are expelled?

1 **A** Especially hot, the gases that come out of
2 the barrel, you get a muzzle flame and super heated
3 gases that come out of the barrel and they can burn
4 you.

5 **Q** When you examine the injury visually on
6 the hand, do you see any evidence of burning?

7 **A** So we have to remember when I did my
8 autopsy, Dr. had already taken this
9 relevant area out of the body. He submitted it for
10 histology, so I had nothing to look at really of the
11 hand that would suggest to me at that moment in time
12 that there was close range.

13 **Q** So your conclusion about the thermal
14 changes is based strictly on your examination of the
15 slides that were provided?

16 **A** And Dr. photographs.

17 **Q** Okay. And so is there a difference, can
18 you draw, what conclusion do you draw from the fact
19 that you see thermal changes in the tissue?

20 **A** I would just lump it altogether and say
21 close range discharge of a firearm. It is within a
22 very close proximity of the muzzle of the weapon,
23 enough to get thermal effect as well as soot
24 deposition.

25 **Q** Is there any difference, it has to be

1 closer, or farther away, like you could possibly
2 wouldn't see?

3 **A** I know what you are trying to say.

4 **Q** You give a range for the close contact or
5 the close range?

6 **A** That would cause thermal effect?

7 **Q** Yes.

8 **A** That is difficult, I cannot do that. I
9 don't know of any ballpark numbers to say, you know,
10 it has to be 2 inches before you see thermal affect.
11 I don't know, but I can tell you it has to be close.

12 MS. ALIZADEH: Okay. And then you
13 prepared a report regarding your findings in this
14 case. I'm going to hand you what I've marked as
15 State's Exhibit: Number 80.

16 MS. WHIRLEY: Let me ask a question while
17 you are looking for that. Doctor, can you say that
18 how the injury occurred to the hand, I mean, you
19 could say close range, but you can't say whether or
20 not someone was grabbing the weapon and moving it or
21 trying to avoid the weapon from shooting them or any
22 of that?

23 **A** Right, there's nothing based on images and
24 histology for me to be able to say how the hand was
25 and what he was intending to do, I have no idea.

1 MS. WHIRLEY: You're not calling it a
2 contact?

3 A I'm calling it close range.

4 MS. WHIRLEY: Close range. Tell us the
5 difference, I know it sounds self-explanatory.

6 A It is kind of what we are discussing. In
7 order for me to call it literally contact gunshot
8 wound, the muzzle was on the skin. I would prefer
9 to see a lot of soot deposition inside the wound. I
10 like to see some searing of the barrel. I would
11 like to see a muzzle imprint of the barrel on the
12 skin. That's what I prefer to see in order to say
13 contact range.

14 MS. WHIRLEY: You don't see any of that,
15 so you call it close range?

16 A Close range. It's a little bit more of a
17 hedgy term. To say I don't know exactly how far it
18 was, but it wasn't necessarily right up against the
19 skin.

20 A contact
21 would be somebody taking their life?

22 A If they take the barrel and put it right
23 to their temple and pull the trigger, yes, I would
24 call that a contact range gunshot wound.

25 MS. ALIZADEH: All right. I can't lay my

1 hands on the copies I made, but I'm going to show
2 you.

3 (Deposition Exhibit Number 81
4 marked for identification.)

5 **Q** (By Ms. Alizadeh) I'm going ahead to mark
6 my copy, and this is Grand Jury Exhibit 81, is this
7 a copy of your autopsy report?

8 **A** Yes, ma'am. This is copy of our autopsy
9 report.

10 **Q** (By Ms. Alizadeh) Okay. Since this is my
11 copy and I've marked it with highlights, but I'm
12 going to make a clean copy for everyone. I thought
13 I already did this, but just for the sake of making
14 sure that we're looking at the same thing. The last
15 page of your report it says nine of nine pages?

16 **A** Right.

17 **Q** So there is a total of nine pages to your
18 report?

19 **A** Yes.

20 **Q** Let me ask you, Dr. , we're going to
21 wrap this up. Did you see, you talked to
22 Dr. , you saw his autopsy report, are there
23 any differences between your findings and
24 Dr. ?

25 **A** Essentially none. The only real

1 difference is that wound that we described on the
2 left forearm. He called it blunt force, I called it
3 sharp force. Whether that's significant, but that's
4 the only difference.

5 **Q** In order for you to perform your autopsy,
6 did you need to see the vehicle, Michael Brown's
7 vehicle, I mean, Officer Wilson's vehicle?

8 **A** No, ma'am.

9 **Q** What about medical records from Officer
10 Wilson?

11 **A** No.

12 **Q** Did you need to see the clothing from
13 Officer Wilson?

14 **A** No.

15 **Q** And you said you did not have the clothing
16 for Michael Brown when you did your autopsy,
17 correct?

18 **A** Correct.

19 **Q** Would it have been helpful for you to have
20 the clothing?

21 **A** It is always helpful to have the clothing
22 in gunshot wound cases. That way you can see any
23 soot or anything, any gunpowder particles on the
24 clothing, but it doesn't mean I can't perform my job
25 if I don't have them.

1 photos prior or at any time during your examination
2 or prepare your report?

3 **A** No, ma'am. Yesterday was the first day I
4 was seeing the crime scene photos.

5 MS. ALIZADEH: That was when you and I
6 were talking about this?

7 **A** Yes.

8 **Q** (By Ms. Alizadeh) And would you have
9 needed those to have performed the autopsy and come
10 up with your findings?

11 **A** Not in this case, no.

12 **Q** The ones I showed you, you recall that we
13 were looking at the position of the deceased body as
14 it laid on the ground and the position of his right
15 hand?

16 **A** Correct.

17 **Q** All right. And was I asking you if you
18 would expect to see dirt in the wound when his hand
19 would be positioned in that manner?

20 **A** All we can see from that is that the
21 relevant gunshot surface of his hand was not
22 touching the ground, that's all I can say about
23 that.

24 MS. ALIZADEH: Anything else anyone?

25 Thank you, Dr. .

1 (End of the testimony of Dr. .)

2 MS. ALIZADEH: It is November 6th at 1:37
3 p.m. Kathi Alizadeh, Sheila Whirley is present, all
4 12 grand jurors are present and , the court
5 reporter, is present and taking down what's
6 happening in the grand jury. We have one more
7 witness for today and his name is

8 I'm not sure if it is And I
9 believe it is he will spell it
10 when he gets here.

11 And so prior to his testimony, we're going
12 to play a disc that I've marked.

13 (Grand Jury Exhibit Number 82
14 marked for identification.)

15 MS. ALIZADEH: Grand Jury Exhibit Number
16 82. Just for explanation purposes. This is a disc
17 of a POD cast. Do you all know what a POD cast is?
18 If you were on the internet, you can broadcast
19 yourself as well as images and voice, okay.

20 There is a man, I don't know where he
21 originates from, but his name I'm
22 not sure how you say it. But he has a POD cast and
23 so you will see from the video, you can see him and
24 he's sitting in a desk. It looks like he's sitting
25 at a console and he's got what looks like a radio

1 microphone, but he talks about all kind of things.

2 This is a regular thing for him, this is
3 what he does. And then he also take his calls,
4 people call in like a radio show, they will talk
5 about whatever the topic is.

6 And then those programs get broadcast out
7 on the internet. Go to whatever his website is to
8 watch his show and so this is a recording of a part
9 of his show, where the caller talks about having
10 witnessed the shooting of Michael Brown.

11 And so we're going to go ahead and play
12 the call first. This is again Grand Jury Exhibit
13 Number 82. Actually on this disc there are two
14 files. And I'm playing the file that is entitled To
15 Police Brutality! What Should Citizens Do.

16 The other file is actually the beginning
17 of the show before the caller calls in and it is
18 just the host of the show talking about things, so
19 it is not something that eyewitness account.

20 Let me pause it because, , we do not
21 have a transcript. As best you can if you could
22 transcribe what we're hearing. I will have you go
23 ahead and pause the recording right now.

24 (Playing of POD cast.)

25 On television the main

1 news people, they put someone sound dumb, they do
2 not have any sympathy for the situation. You go
3 look on my channel and see the guy that I have and
4 hear how he spoke, hear how well he articulated
5 himself. I'm the only one who put somebody who knew
6 the person, who dealt with the person, who spoke
7 like he knew what he was talking about, who sounded
8 educated, who didn't sound straight street may say,
9 exactly, that's why you want to choke him out, look
10 how they are reporting themselves.

11 I'm the only one who did it. So it is
12 funny that the coon doesn't allow people to get on
13 his channel. It is funny that the coon doesn't help
14 perpetuate the black folks look bad by going to the
15 dumbest motherfucker possible and saying, here's a
16 camera, speak.

17 It is funny how the guy I put up who was
18 speaking at Lenox Mall yesterday was speaking
19 eloquently with everything he said. No one could
20 sit up there and listen to him and saying, he sound
21 hood as a motherfucker, he's stupid.

22 We're going to get to a lot of phone
23 calls, hopefully we have a lot of people calling
24 from the area of St. Louis. I'm being told by a lot
25 of people that I should not go, that it is really

1 bad there. What I'm going to do is speak to a lot
2 of people who are from there. And one, his name is
3 and he lives in the complex where Mike Brown
4 was shot, what's up,

5 Hey, how you
6 doing.

7 : I'm doing well, how you
8 doing brother, when you are right there in the war
9 zone?

10 I'm right in front.
11 First of all, I'd like to say I appreciate the work
12 you doing, brother.

13 : Thank you.

14 Big respect. , man,
15 the incident happened just the other day went from
16 the murder to a damn carnival out here, man.

17 Explain.

18 Well, I was on my front
19 patio sitting down and I heard a first shot. So I
20 jumped up and looked out my patio, right.

21 : Uh-huh.

22 And I notice that
23 officer, officer is in his car. And the boy he's
24 leaning up into the window, they are like
25 struggling, right.

1 : Uh-huh.

2 I guess the officer hit the
3 door open and the guy started running down Canfield,
4 that's the name of street Canfield Road. And
5 apparently the officer was shooting him from the
6 rear.

7 Um.

8 And, I guess he, is his
9 name Mike, Michael, Mike?

10 Yeah.

11 I guess he was turned
12 around going back toward the officer, that's when
13 the officer unloaded on him. And when he fell to
14 his knees, he just collapsed in the middle of the
15 road.

16 Okay. Now there are some
17 reports, since you saw it firsthand, this is good,
18 there are some reports which you are saying openly
19 and hopefully you can help us out with that. There
20 are some reports saying that the guy had his hands
21 up when he was shot, is that true?

22 Well, I don't know, I
23 didn't see that because I was behind a wall.

24 : Yeah.

25 Yeah, right. But finally

1 the guy, he was walking back towards the officer,
2 maybe he did have his hands up, I don't know.

3 : Uh-huh. So somebody told
4 you that he was walking back towards the officer?

5 That's what the crowd was
6 saying. He had his hands up.

7 Uh-huh.

8 But I think I seen the
9 guy running down the street, the officer was
10 shooting from the back, okay.

11 : Uh-huh.

12 By the time I looked up,
13 the guy --

14 Hello oh, damn it. Who
15 dropped off, was it me? How did I drop off? Tell
16 to hold on. I kicked off my own damn show.
17 Now I know what y'all feel like. I got kicked off
18 my own show somehow.

19 Hey, brother, you still there?

20 Yes, sir.

21 : I got kicked off my own
22 shit. We didn't get a chance to hear the part where
23 you said that's what the crowd was saying that he
24 had his hands up, what happened?

25 Yeah, that's what the

1 crowd was saying he had his hand up. And I didn't
2 see the guy with his hands up, I did see him running
3 up the street towards the officer, maybe he did have
4 his hands up, I don't recall.

5 Uh-huh.

6 You know what I'm saying?

7 Let me ask you, you said
8 that he had his head inside of the car with the
9 officer like they were scuffling, so that part that
10 the officer said is actually true?

11 Yes, sir. That's when I
12 heard the first shot, the first shot came from the
13 officer in the car.

14 : That's what the officer
15 wrote. I was calling bullshit, the officer was
16 claiming that the dude was trying to grab his gun
17 and the shot went off in the car.

18 That's right.

19 : So you're saying that's
20 true?

21 The guy, half his upper
22 body was inside the windshield. I said what the
23 hell. And by that time, I guess the officer had
24 kicked his door open and the guy took off running
25 down the street and I think the officer was shooting

1 him as he was running down the street, okay.

2 Uh-huh.

3 By that time, the brother
4 was headed toward, I'm ducking, you know, I didn't
5 want to get done.

6 : Okay.

7 And I seen the guy turned
8 around, I don't know if he had his hands up or not,
9 I don't know, but that's when the officer unloaded,
10 you know.

11 : If you had to say from
12 your own opinion what you seen, do you think it was
13 a justified homicide?

14 Oh, from what I seen, by
15 the guy laying in the car up there, yeah. Yes,
16 there was a big scuffle right there, yes. The guy
17 was halfway inside the police car when I heard the
18 shot. You know what I'm saying?

19 Do you believe, you're
20 saying like the cop unloaded on him where you are
21 coming from, the cop unloaded on him when he stopped
22 and turned back around?

23 Like I said, he was
24 running towards my way, okay, down the main street.

25 : Uh-huh.

1 shooting at this guy, apparently he's not a
2 marksman. So he could literally miss this dude and
3 hit a kid that's walking around.

4 That's right, yeah, yes,
5 sir.

6 : I assume you saw it. You
7 got a good look out for him, you put out an APB for
8 him and you just try to bring him in.

9 That's right.

10 I don't know because we're
11 in crazy positions, and ladies and gentlemen we're
12 talking to a young man who was right there on the
13 scene, lives right there, he seen it
14 firsthand.

15 I'm not that young.

16 I ain't either, we young
17 until we dead, I'm going to keep calling us young,
18 man.

19 That's right, okay.

20 : What is this state of the
21 atmosphere, what is going on right now, what is
22 going on.

23 You really want to know
24 what's going on right now? During your break, there
25 was gunshots outside on the streets here, literally.

1 They had, kind of like beer cans popping, it was a
2 damn freak show. It was a show, shit like that.

3 : How do you think the
4 blacks are handling it. What do you think they
5 should be doing, as opposed to what they are doing?

6 Well, I think what they
7 should be doing is trying to come together in a more
8 positive way because I mean, you got pregnant women
9 out here, you've got kids, (inaudible). Kids out
10 here watching this, you know.

11 Yeah.

12 Infants just walking
13 around just watching stuff. It was a sad situation.

14 : I feel bad right now
15 because I don't know what to say next. I want to
16 measure my next comments. The first thing I said, I
17 own up to it, first thing I said was the cops need
18 to start feeling what the citizens are feeling. And
19 I still feel that way because cops have literally
20 gone too far how they are handling their citizens,
21 but I also looked at the scene. I looked at how
22 many hair hats was out there, (inaudible) we talk
23 about that, doesn't it bother you a little bit that
24 it was way more women, looks like 70 to 80 percent
25 women.

1 Yes.

2 : Women and kids.

3 Half of them was

4 pregnant.

5 : Oh, my goodness.

6 With baby strollers out
7 there. There was all young guys and women, no older
8 crowd at all. I heard you mention about the guy's
9 mother.

10 : Uh-huh.

11 The father was out there
12 also, but they was not, there was no companionship
13 with each other, they was separate doing their own
14 thing.

15 : Yeah, that's crazy.

16 There was no consoling or
17 nothing.

18 You saw the step dad
19 standing there with a sign saying, they shot my son
20 dead in the street. I thought that was the father,
21 they was like no, that's the step dad. You saw the
22 step dad with the mom, but you never saw the dad
23 with the mom. If you said he was out there, that's
24 crazy, they never even interviewed him.

25 That's right. As a

1 matter of fact (inaudible) this guy was walking
2 around with pants below his butt. Maybe (inaudible)
3 You know, his pants was sagging off his butt, no
4 shirt, it was sickening.

5 : Oh, gee, that's what's
6 really bothering me and do me a favor, send
7 me an email when I come out there, I want to talk to
8 you face-to-face if that's cool.

9 No problem, brother.
10 Send me an email at

11
12 Let me write this, just
13 one moment, .

14
15 that's views with an S. Send me an email, I want to
16 get up with you so I can meet with you and talk to
17 you face-to-face if that's okay.

18 I have something to show
19 you.

20 : Perfect, if you can.

21 I haven't shown anybody.

22 : If you can, send that to
23 me, that would be beautiful, I would be able to use
24 that and we can get that out there.

25 or just.

1 :
2 . Send it to
3 me. Let's get the thing going. I'm going to talk
4 to you when I get there, I cannot wait, thank
5 you, brother.

6 You keep up the good
7 work, you are doing a good job.

8 Let's see if we can do
9 this thing together. Guys, we got more people
10 calling in from St. Louis.

11 had firsthand account,
12 account has me thinking, but before I even spoke to
13 after reading more, it had me thinking. The
14 biggest thing I'm tired of hearing.

15 (End of the audio recording.)

16 MS. ALIZADEH: So we stopped playing of
17 the video, I believe that was the end of the phone
18 conversation with a person named who claims
19 to live in Canfield Apartment Complex.

20 So, um, at this time we're done listening
21 to the video. We can use the same disc for this
22 witness because it's and just keep this on the
23 same disc. I know you all need to go at 2:30. We
24 are going to be as quick as possible, but again, I
25 don't want to cut off anybody, I don't want to cut

1 anybody short and if need be, we'll bring him back
2 next week, okay.

3

4 of lawful age, having been first duly sworn to
5 testify the truth, the whole truth, and
6 nothing but the truth in the case aforesaid,
7 deposes and says in reply to oral
8 interrogatories, propounded as follows, to-wit:

9 EXAMINATION

10 BY MS. ALIZADEH:

11 Q Sir, could you state your name and spell
12 it for the court reporter?

13 A

14 Q Is your given name?

15 A Yes.

16 Q You said right?

17 A

18 Q

19 A

20 Q all right. And how
21 old are you?

22 A

23 Q And do you live in the Canfield Green
24 Apartment Complex?

25 A Yes.

1 **Q** And were you living there back in August
2 of this year?

3 **A** Yes.

4 **Q** And did you witness some or parts of the
5 shooting of Michael Brown?

6 **A** Yes.

7 **Q** Now, I showed you, there's a laser pointer
8 right there and can you look on this map, this is
9 Grand Jury Exhibit Number 25. Do you recognize this
10 as being buildings and roads that surround or make
11 up the Canfield Green Apartment Complex?

12 **A** Yes.

13 **Q** Okay. Will you use the laser pointer and
14 show the grand jurors what building you live in?

15 **A** Right there, (indicating)

16 **Q** Okay. And then is your unit on the front,
17 or on the north side of the build or on the south
18 side of the building?

19 **A** It is right there. (indicating)

20 **Q** And you have indicated over here it is
21 more toward, if this is east and this is west, is it
22 on the east side of the building?

23 **A** Actually right between.

24 **Q** Okay. So the way these apartments, one
25 unit here, now there is three floors we know that,

1 correct?

2 **A** Yes, yes.

3 **Q** There is one unit here and one unit here?

4 **A** Yes.

5 **Q** And then what floor do you live on?

6 **A** Bottom floor.

7 **Q** So from the bottom floor do you have
8 windows that look out?

9 **A** Yes.

10 **Q** And so from what I understand about the
11 apartments on the bottom floor, when you exit your
12 apartment, you have to actually walk up some steps
13 to get to the ground level, is that fair to say?

14 **A** Yes.

15 **Q** When you exit your apartment, if you are
16 standing up, can you see, can you see the street or
17 you have to go up those steps to see the street?

18 **A** I can see the streets.

19 **Q** And how about your apartment, is it one or
20 two bedroom apartment?

21 **A** One bedroom.

22 **Q** And so are there windows on the front of
23 the building?

24 **A** Yes.

25 **Q** And do you have a sliding glass door as

1 well?

2 **A** Yes.

3 **Q** And so those, is there a bedroom window
4 that faces Canfield as well?

5 **A** Yes.

6 **Q** So when you look out the sliding glass
7 door and your bedroom window from inside your
8 apartment, can you see the street?

9 **A** Yes.

10 **Q** Um, can you tell me like if you were
11 standing right outside your apartment building, and
12 there's like, I'm going to call it like a concrete
13 well that the staircase goes down, right?

14 **A** Right.

15 **Q** So there's a concrete wall that comes up
16 and then is ground level at some point?

17 **A** Yes.

18 **Q** About how high is that wall?

19 **A** Uh, about two feet, two, three feet.

20 **Q** Okay. So you can clearly see over it when
21 you are standing in that concrete well area?

22 **A** Yes.

23 **Q** All right. And so let's start with the
24 morning of August 9th of this year. Was there
25 anything special that you remember happening in the

1 morning? Was that a special day or anything
2 eventful happen that morning?

3 **A** It was quiet day until the incident.

4 **Q** Okay. Do you live alone?

5 **A** Yes.

6 **Q** All right. Was anybody in your apartment
7 with you when this happened?

8 **A** No.

9 **Q** And so now something happened around the
10 noon hour that drew your attention to outside; is
11 that right?

12 **A** Yes.

13 **Q** And were you inside your apartment or
14 outside your apartment when you heard something?

15 **A** I was inside my apartment.

16 **Q** And what did you hear?

17 **A** I heard a gunshot.

18 **Q** And prior to your hearing the gunshot, had
19 you looked out your windows to see anything going
20 on?

21 **A** No.

22 **Q** So anything that happened before you heard
23 a gunshot you didn't witness?

24 **A** No.

25 **Q** And so when you look out your, did you

1 look out your window or go out of your apartment?

2 **A** I looked out the patio.

3 **Q** So did you stay inside your apartment?

4 **A** Yes.

5 **Q** And so what window did you look out?

6 **A** The patio.

7 **Q** The patio window. Is that sliding glass
8 door?

9 **A** Sliding glass door.

10 **Q** Now, those apartments have vertical blinds
11 on the sliding glass doors, were your blinds open or
12 closed?

13 **A** It was open.

14 **Q** And so when you say open, I know the
15 blinds can open and close this way and they also
16 can, you can turn the louvers so that they are open.
17 Were your blinds across the window, but open or were
18 they totally?

19 **A** It was across the windows but open.

20 **Q** Okay. So you could see through the
21 louvers of the blinds?

22 **A** Yes.

23 **Q** So when you heard this gunshot, did you
24 immediately recognize it as a gunshot or did you
25 think it was like fireworks or car backfiring?

1 **A** No, I knew it was a gunshot.

2 **Q** Had you heard gunshots before?

3 **A** Yes.

4 **Q** Okay. So when you looked out of your
5 window, your slider glass window, what did you see?

6 **A** I saw a police car up the street and I saw
7 a guy tussling with an officer inside the car.

8 **Q** Okay. Now, because we can't, the grand
9 jurors can see what you're doing but the record
10 doesn't show it. You just grabbed like the front
11 collar area of your shirt and tugged it a couple of
12 times?

13 **A** Yes.

14 **Q** So did you see somebody doing that,
15 tugging on somebody's shirt?

16 **A** I seen a guy, now I know his name is Mike
17 Brown.

18 **Q** Okay.

19 **A** Officer was tussling with shirt inside the
20 car.

21 **Q** So now can you use the laser pointer and
22 show me where the police vehicle was?

23 **A** Um, approximately right there.

24 **Q** Okay. So right at about where the E of
25 Canfield is?

1 **A** Yes.

2 **Q** Where it says printed Canfield Drive?

3 **A** Yes.

4 **Q** And was this a truck or an SUV or patrol
5 car?

6 **A** It was SUV.

7 **Q** Was it marked Ferguson car?

8 **A** Yes.

9 **Q** And which direction was it facing?

10 **A** It was facing towards West Florissant.

11 **Q** Was it in the middle of street, was it on
12 one side or the other?

13 **A** It was in the middle of the street.

14 **Q** Okay. And did you see any cars around it?

15 **A** No.

16 **Q** Did you see any police officers around the
17 vehicle?

18 **A** No.

19 **Q** Could you see inside the vehicle?

20 **A** No.

21 **Q** So you couldn't at this point see a police
22 officer?

23 **A** No.

24 **Q** Now, you said the person you saw at the
25 vehicle you now know is Mike Brown or was Mike

1 Brown?

2 **A** Yes.

3 **Q** Did you know Mike Brown before this?

4 **A** No.

5 **Q** Had you seen him in the complex?

6 **A** No.

7 **Q** How about Dorian Johnson, do you know a
8 Dorian Johnson?

9 **A** No.

10 **Q** Did you see any other pedestrians or
11 people that were around the police car?

12 **A** No.

13 **Q** On foot?

14 **A** No.

15 **Q** And so now you said you saw Mike Brown at
16 the police vehicle, from your vantage point, are you
17 looking at the driver's side of the vehicle?

18 **A** Yes.

19 **Q** And so was Mike Brown at that side or was
20 he on the other side of the car?

21 **A** He was at that side.

22 **Q** When you are looking, when you are talking
23 about the side of the police vehicle and there's,
24 I'm going to say there's the front on the driver's
25 side, there's the fender area, and then there's the

1 driver door area and then there's a rear passenger
2 door area and then there's the rear of the truck?

3 **A** Yes.

4 **Q** So where was Mike Brown standing alongside
5 that vehicle?

6 **A** Along the front door of the vehicle.

7 **Q** Was his back to you at the time?

8 **A** Yes.

9 **Q** And so you said you saw some tussling or
10 something at the car going on?

11 **A** Yes.

12 **Q** Could you see Mike Brown's hands?

13 **A** Yes.

14 **Q** And what were his hands doing?

15 **A** His hands were against a car.

16 **Q** Okay.

17 **A** Police car.

18 **Q** And you said you saw the officer's hands?

19 **A** I saw the officer's hands grabbing Mike
20 Brown's shirt.

21 **Q** Okay. Was Mike Brown standing on his feet
22 or was he leaning or slumped against the car or
23 anything?

24 **A** He was leaning towards the car.

25 **Q** Was any part of his body inside the

1 vehicle?

2 **A** I couldn't see it.

3 **Q** Okay. And now earlier today you met with
4 Detective and Special Agent no
5 , that was , the FBI agent?

6 **A** Yes.

7 **Q** They told you that they had a radio, or a
8 POD cast recording that they thought might be your
9 voice on the recording; is that right?

10 **A** Yes.

11 **Q** Did they play part of that for you?

12 **A** Yes.

13 **Q** Did you recognize, was that your voice?

14 **A** Yes.

15 **Q** And that was you who called in?

16 **A** Yes.

17 **Q** And that's the ?

18 **A** Yes.

19 **Q** He has a name for the show, but that's the
20 host of the POD cast?

21 **A** Yes.

22 **Q** And so do you recall when you called in
23 you told the host of the show that Mike Brown's head
24 was inside the car?

25 **A** I just recall it today, I didn't realize

1 it at the time.

2 Q Okay.

3 A When I saw the video.

4 Q How many days after the shooting did you
5 call the ?

6 A Maybe one or two days after, maybe.

7 Q Okay. And when you talked to him, you
8 told him about what you had seen that day; is that
9 right?

10 A Yes.

11 Q And so did you listen to your entire tape
12 today or did you just listen to a part of it?

13 A The entire tape.

14 Q Okay. Do you recall today hearing your
15 voice telling that Mike Brown had his head
16 inside the vehicle and he was actually like in the
17 windshield?

18 A Yes.

19 Q Okay. Today do you recall something
20 differently or is it that wasn't true?

21 A Actually, it wasn't true.

22 Q Okay. So what you told on that show
23 was not true?

24 A Yes.

25 Q And why did you say that if it wasn't

1 true?

2 **A** I do not know.

3 **Q** Okay. So you're saying today Mike Brown
4 was standing outside the vehicle and no part of his
5 body was inside the vehicle?

6 **A** His upper half was leaning in towards the
7 door, the windshield, the window.

8 **Q** And you said he had both hands up against,
9 I guess along the frame of the window, would that be
10 fair to say?

11 **A** Yes.

12 **Q** Did you see anything in his hand?

13 **A** No.

14 **Q** Did you see anybody next to him at the
15 vehicle?

16 **A** No.

17 **Q** And so now this is after the gunshot has
18 already happened, correct?

19 **A** Yes.

20 **Q** Did you hear more than one gunshot while
21 Mike Brown was next to the vehicle or just the one?

22 **A** I believe it was just one.

23 **Q** And then from the time you heard the
24 gunshot until you looked out, was there any like,
25 did it take you a few seconds to get to the window

1 or were you close enough that it was just a matter
2 of turning and looking out the window?

3 **A** It was just a matter of turning and
4 looking.

5 **Q** Okay. So within seconds after hearing the
6 gunshot, you looked out and that's what you saw,
7 Mike Brown at the window?

8 **A** Yes.

9 **Q** Now, you said that his back was to you?

10 **A** Yes.

11 **Q** So how could you see that the officer had
12 ahold of the front of his shirt in the manner that
13 you kind of demonstrated for us, how could Mike
14 Brown's back was to you, how is it that you are
15 seeing that?

16 **A** Because when Mike Brown pushed off the
17 car, the shirt, the officer had shirt like this and
18 I seen a tugging. (indicating)

19 **Q** Okay. All right. And so when Mike Brown
20 pushed back off the car, did the officer lose his
21 grip or let go of the shirt or stop holding the
22 shirt at some point?

23 **A** Yes.

24 **Q** And what did Mike Brown do?

25 **A** He took off running down the street.

1 **Q** In which direction?

2 **A** Towards the other end, opposite direction
3 of the car.

4 **Q** Okay. So eastbound on Canfield?

5 **A** Yes.

6 **Q** And did he stay in the street as he was
7 running or did he go onto sidewalk?

8 **A** He stayed in the middle of the street.

9 **Q** Was he running like sprinting or was he
10 just jogging or can you describe how quickly he
11 might have been going?

12 **A** He was sprinting.

13 **Q** Did you notice at that point if you saw
14 that he was injured, did he have blood on him or
15 anything that you could see that might show you that
16 he's injured?

17 **A** No.

18 **Q** So then what happens after Mike Brown
19 starts to run west onto Canfield, east on Canfield?

20 **A** Well, Mike Brown took off running, the
21 officer came after him, was chasing him.

22 **Q** Did the officer get out of the car?

23 **A** Yes.

24 **Q** Okay. How soon after Mike Brown ran away
25 from the car was it instantaneous or was there a few

1 second pause?

2 **A** It was instantaneous.

3 **Q** So when he got out of the car, is that the
4 first time you really could see the officer?

5 **A** Yes.

6 **Q** So how would you describe him?

7 **A** Caucasian.

8 **Q** Okay.

9 **A** That's about it.

10 **Q** Fat, skinny?

11 **A** No, I couldn't tell you.

12 **Q** Old, young?

13 **A** Young by, he wasn't old.

14 **Q** Did he have on a uniform?

15 **A** Yes, he did.

16 **Q** Shorts sleeves or long sleeves?

17 **A** Short sleeves.

18 **Q** Was he wearing a police hat?

19 **A** No.

20 **Q** When he got out of the car, did you see a
21 gun?

22 **A** On the side, yes.

23 **Q** On the side of his body?

24 **A** Yes.

25 **Q** Was it in his holster?

1 **A** Yes.

2 **Q** Was his hand on the gun?

3 **A** I don't know.

4 **Q** Now, is that the first time you see the
5 gun or did you see the gun when he was inside the
6 car at all?

7 **A** That was the first time I seen the gun.

8 **Q** Okay. And so as Mike Brown is running
9 away and the officer gets out of the car and he has
10 his gun in his holster, is it in right on his right
11 hip or his left hip?

12 **A** Approximately the right, right side, I'm
13 not for sure.

14 **Q** So if he gets out of the driver's seat of
15 his car, and his left side would be toward you then,
16 correct. If he's driving the car and it is going to
17 be facing this way, so his left hip is towards you,
18 right? And if he gets out and if he turns toward
19 the back of his car to go after Mike Brown, then his
20 right hip is towards you?

21 **A** Okay.

22 **Q** So you're saying it was in his right hip?

23 **A** Yes.

24 **Q** And then what did the officer do?

25 **A** Chased after Mike Brown.

1 **Q** Okay. So he ran?

2 **A** He ran behind him, yes, chasing him.

3 **Q** And what happened as he was chasing Mike
4 Brown?

5 **A** That I don't know. There was concrete
6 barrier there, I couldn't see what happened after he
7 caught up with him, I didn't see that.

8 **Q** Do you remember on the
9 show saying the officer shot Mike Brown as Mike
10 Brown was running away?

11 **A** I remember saying it now, yes, that's what
12 I thought.

13 **Q** But today you didn't see that?

14 **A** No, I didn't.

15 **Q** And why did you say that on the show?

16 **A** That's what I thought the officer was
17 shooting. It happened so fast, I thought he was
18 shooting at him.

19 **Q** This all happened very fast?

20 **A** Yes.

21 **Q** Matter of how many, like --

22 **A** Seconds.

23 **Q** Second?

24 **A** Yes.

25 **Q** Less than a minute then?

1 **A** Yes.

2 **Q** So when the officer starts running after
3 Michael, you said there is a point in time where a
4 concrete barrier blocks your view?

5 **A** Yes.

6 **Q** And is this the barrier that is actually
7 like where the staircase is?

8 **A** Yes.

9 **Q** Okay. So they're running in this
10 direction, correct?

11 **A** Yes.

12 **Q** Did you ever see, did you see Mike Brown
13 stop running?

14 **A** No, I didn't.

15 **Q** Okay. Did you see him being shot by the
16 officer?

17 **A** No, I didn't.

18 **Q** Did you see him turn around?

19 **A** No, I didn't.

20 **Q** Did you see him come at the officer?

21 **A** No.

22 **Q** Did you see him put his hands in the air
23 or hands down or hands at his sides or in front of
24 his body at all?

25 **A** No, I didn't.

1 **Q** And so at some point is Mike Brown running
2 east on Canfield, you lose sight of him and then you
3 don't actually see the shooting?

4 **A** Right.

5 **Q** Now, and again, on the show which was a
6 couple of days after this you said that you saw him
7 shooting at Mike Brown as he ran and that you saw
8 Mike Brown stop and turn around and then come back
9 toward the officer?

10 **A** Yes.

11 **Q** Today you are saying you didn't see that?

12 **A** Right, I assumed that he did.

13 **Q** So when you said that on the show, that
14 was just your assumption?

15 **A** Yes.

16 **Q** Why did you assume that, do you still
17 assume that today?

18 **A** Because when Mike Brown is running he's
19 running down the street and when I seen the body out
20 there, he was turned the other direction towards the
21 cop, towards the officer. So I assume that he was
22 probably running towards him.

23 **Q** Okay.

24 **A** The reason the body was turned, facing
25 him.

1 **Q** So you are basing that assumption just on
2 the way the body was positioned in the street?

3 **A** Yes, when he was laying down.

4 **Q** And is he on his stomach or on his back or
5 side?

6 **A** He was on his stomach, I believe.

7 **Q** So could you see how he was laying in the
8 street from where you were, did you have to come up
9 and go out onto the street?

10 **A** I came up and came out to the sidewalk and
11 saw the body out there.

12 **Q** Did you, the entire incident that you
13 witnessed, did you witness it from inside your
14 apartment?

15 **A** Yes, the incident, yes, I did.

16 **Q** And do you recall telling on the
17 show that you were outside on your patio and that
18 one point when gunfire happened, you kind of ducked
19 because you didn't want to get shot?

20 **A** Yes.

21 **Q** Okay. So is it today now you believe you
22 were inside your apartment?

23 **A** I was inside my apartment.

24 **Q** Okay. So do you know why you would have
25 told you were sitting on your patio when this

1 happened?

2 **A** No, I don't know why I told him that.

3 **Q** All right. And so did you see the officer
4 as he was running after Mike Brown, did you ever see
5 him fire his weapon?

6 **A** No, I did not.

7 **Q** Now, from what I understand, a lot of what
8 you told you say is based on things that
9 people said or you heard afterwards; is that right?

10 **A** That was my assumption what I told .

11 **Q** That's not based on what people in the
12 complex were saying?

13 **A** I haven't talked to them.

14 **Q** You went up after the shooting?

15 **A** After the shooting I came up, yes.

16 **Q** There were lots of people that started
17 gathering?

18 **A** That's when people started coming out of
19 their apartments.

20 **Q** People were screaming and yelling and
21 talking about, amongst themselves about what maybe
22 had happened?

23 **A** Yes.

24 **Q** You didn't hear what people were saying
25 then that he had his hand up?

1 **A** Yes, I heard a lot out there, yes.

2 **Q** And you said something about in your
3 statement to that you don't know if he had his
4 hands up or not because you didn't see that?

5 **A** I didn't see it. That's what the people
6 said.

7 **Q** Did you ever hear the officer, were your
8 windows open or closed?

9 **A** It was open.

10 **Q** Did you ever hear the officer saying
11 anything?

12 **A** No, I didn't.

13 **Q** Did you ever hear Mike Brown saying
14 anything?

15 **A** No.

16 **Q** If they had said something in a loud
17 voice, would you have heard it?

18 **A** I believe so.

19 **Q** Now, you have glasses on, sir, do you need
20 those to see distance or close?

21 **A** Distance.

22 **Q** Did you have your glasses on that day?

23 **A** Yes.

24 **Q** And with your glasses on, can you see
25 distance fine?

1 **A** Yes.

2 **Q** How about your hearing, do you need
3 anything to, have you ever been told that you have
4 loss of hearing?

5 **A** No.

6 **Q** Your hearing is fine?

7 **A** Yes.

8 **Q** And so today you're saying that you saw
9 the officer have ahold of Mike Brown's shirt. Did
10 you ever see the vehicle moving like rocking or
11 moving?

12 **A** No.

13 **Q** Like somebody, like there was something
14 moving the vehicle back and forth?

15 **A** No.

16 **Q** Did you ever see any other cars that were
17 lined up in the street, either behind the police
18 vehicle or in front of the police vehicle?

19 **A** No.

20 **Q** So when Mike Brown ran, you didn't see him
21 run past a couple of cars?

22 **A** No, there was no cars out there when I
23 seen him running.

24 **Q** So you had made a statement on that show
25 when asked you, based upon what you saw that

1 day, do you think the officer was justified in
2 shooting Mike Brown? And you said, yes, I think he
3 was. Do you remember saying that?

4 **A** Yes.

5 **Q** Why do you think that today, is that what
6 you still think?

7 **A** Yes.

8 **Q** Okay. Why would you say that if you
9 really didn't see what the final moments were?

10 **A** Because there was a struggle at the car.
11 I thought maybe he was resisting arrest. I don't
12 really know what happened.

13 **Q** You never saw anything in Mike Brown's
14 hands, right?

15 **A** No, I didn't.

16 **Q** So you never saw him with a weapon?

17 **A** No.

18 **Q** When the officer got out of the vehicle
19 and started chasing Mike Brown, did it look to you
20 like he was injured?

21 **A** Mike Brown or the officer?

22 **Q** The officer, I'm sorry.

23 **A** The officer.

24 **Q** You never saw any blood on him?

25 **A** No, I did not.

1 **Q** You didn't see his face that he might have
2 been hit in the face or anything?

3 **A** No, I couldn't tell.

4 **Q** Approximately how many gunshots total.
5 There is one at the car and then about how many more
6 gunshots do you hear?

7 **A** Approximately six, maybe.

8 **Q** Did you ever see the officer up by Mike
9 Brown?

10 **A** I seen one officer standing around the
11 body.

12 **Q** Was it the same officer who did the
13 shooting?

14 **A** I don't know, I couldn't tell.

15 **Q** And then did you ever see the officer's
16 car move or be moved after the shooting?

17 **A** No.

18 **Q** Did you ever see after you went up and you
19 could see Mike Brown's body laying in the street,
20 and we know that it took quite some time before they
21 removed the body, did you ever see them move the
22 body in any way like reposition it or move it
23 somewhere else?

24 **A** No.

25 **Q** How long did you stay out on Canfield

1 after the shooting?

2 **A** For hours.

3 **Q** You heard people talking about what they
4 saw?

5 **A** Yes.

6 **Q** Do you know a lot of your neighbors in the
7 Canfield Green Apartments?

8 **A** No, I do not.

9 **Q** Do you know the names of anybody who, at
10 least that day or any time afterwards you believe
11 saw this and talked about what they saw?

12 **A** No, I don't.

13 **Q** Now, you also recorded some of this on
14 your cell phone, correct?

15 **A** Yes, I did.

16 **Q** And you gave your phone to Detective
17 for him to go make a copy of it, right?

18 **A** Yes.

19 **Q** And you looked at the video images after
20 you did it, correct?

21 **A** Yes.

22 **Q** And actually the video is of the
23 aftermath, you didn't capture the shooting on your
24 video, is that fair to say?

25 **A** Yes.

1 **Q** And now, shortly after this happened, a
2 bunch of police came and then a bunch more police
3 came and the place became just kind of chaotic,
4 would that be fair to say?

5 **A** Yes.

6 **Q** And then for several days after that and
7 the next Saturday there were FBI agents and police
8 knocking on doors doing what they call an area
9 canvas. Have you ever heard that term a canvas?

10 **A** Yes, I heard of it.

11 **Q** And actually, some police knocked on your
12 door and asked you if you saw anything that day, do
13 you remember that?

14 **A** Yes.

15 **Q** And you told them no, you didn't see it?

16 **A** Yes.

17 **Q** So that was a lie?

18 **A** Yes.

19 **Q** Why didn't you tell the police you saw
20 part of what happened?

21 **A** Fear, I didn't want to get involved.

22 **Q** And, in fact, you never came forward to
23 the police, it was only after the police contacted
24 you because they had heard this show and they
25 thought for whatever reason that that might be you

1 on the show; is that right?

2 **A** Yes.

3 **Q** I subpoenaed you to be here today is that
4 right?

5 **A** Yes.

6 **Q** Is what you said today truthful?

7 **A** Today, yes.

8 **Q** But you lied when you talked to on
9 the POD cast?

10 **A** Yes. It wasn't totally a lie.

11 MS. ALIZADEH: Sheila, do you have any
12 questions?

13 MS. WHIRLEY: We run out of time. Let the
14 grand jurors, you guys have any questions?

15 Have you met with
16 yet? I know he talked on the POD cast?

17 **A** . Have I met him? No.

18 On the POD cast, we're
19 talking about how to handle it coming to St. Louis?

20 **A** I never met him.

21 : Meeting him, have you
22 been threatened or anything by what you saw?

23 **A** No.

24 MS. ALIZADEH: Have you talked with
25 anybody besides these grand jurors and and now

1 Detective and the FBI agent about what you
2 saw Michael Brown this FBI agent about what you saw.

3 **A** No.

4 MS. WHIRLEY: Why did you call into
5 with this story, you said it wasn't true, what made
6 you call him to say these things?

7 **A** Well, at the time I was mad and upset. I
8 wanted to talk to someone and he was there I could
9 talk to.

10 MS. WHIRLEY: What were you mad and upset
11 about?

12 **A** The incident happened outside my
13 apartment.

14 MS. WHIRLEY: When you say mad, what were
15 you mad about?

16 **A** I wasn't mad, basically upset, you know,
17 just wanted to talk to someone.

18 MS. WHIRLEY: Who were you upset at, I'm
19 just trying to understand.

20 **A** The situation, you know, body outside my
21 apartment and, you know, the incident with the, you
22 know, folks gathering around my apartment drinking
23 and smoking and carrying on, I just wanted to vent.

24 MS. WHIRLEY: All right. Were you mad at
25 the fact that Mike Brown was shot and dead in the

1 street or were you mad you thought Mike Brown did
2 something or either one of those?

3 **A** Basically because, you know, the situation
4 that was outside my apartment, folks gathering
5 around. Like I said, drinking and smoking, you
6 know, it was like a carnival out there.

7 MS. WHIRLEY: I see, okay.

8 . With
9 everything that was going on at that time up there,
10 and the proximity of the police officers in the
11 area, did you not think to maybe call the police and
12 tell them to get the people away from your door or
13 what?

14 **A** The police was out there.

15 I understand that, I
16 understand that, but just help me understand you
17 said you were upset because people were out around
18 your apartment and they were drinking and smoking,
19 and whatever?

20 **A** Yes.

21 : Wouldn't it have been
22 easier to, I'm just asking this for me to call 911,
23 send some police up here, these people around my
24 place, yada, yada, yada.

25 **A** I don't think they would come back.

1 You don't think so?

2 **A** No.

3 : Okay. That's fair enough.

4 MS. ALIZADEH: Anyone else?

5 . I know you

6 said earlier they were outside your door drinking

7 and smoking, it was like a carnival you said. Was

8 it were they angry or was it a little bit

9 celebratory, can you tell me the atmosphere of the

10 people? Were you upset because you felt like it

11 wasn't taken seriously, how do you explain your

12 mindset?

13 **A** Well, to be honest with you, I think it

14 was ridiculous. There's a right and wrong to do

15 things, I feel they was going about it the wrong

16 way, you know. It was just ridiculous. All of this

17 stuff could have been avoided.

18 MS. ALIZADEH: Anyone else have a

19 question?

20 (End of the testimony of

21)

1

2 State of Missouri

3 SS.

4 County of St. Louis

5 I, , a Licensed Certified Court
6 Reporter by the Supreme Court in and for the State
7 of Missouri, duly commissioned, qualified and
8 authorized to administer oaths and to certify to
9 depositions, do hereby certify that pursuant to
10 Notice in the civil cause now pending and
11 undetermined in the County of St. Louis, State of
12 Missouri.

13 The said witness, being of sound mind and being
14 by the grand jury first carefully examined and duly
15 cautioned and sworn to testify to the truth, the
16 whole truth, and nothing but the truth in the case
17 aforesaid, thereupon testified as is shown in the
18 foregoing transcript, said testimony being by me
19 reported in shorthand and caused to be transcribed
20 into typewriting, and that the foregoing page
21 correctly sets forth the testimony of the
22 aforementioned witness, together with the questions
23 propounded by counsel and grand jurors thereto, and
24 is in all respects a full, true, correct and
25 complete transcript of the questions propounded to

1 and the answers given by said witness.

2 I further certify that the foregoing pages
3 contain a true and accurate reproduction of the
4 proceedings.

5 I further certify that I am not of counsel or
6 attorney for either of the parties to said suit, not
7 related to nor interested in any of the parties or
8 their attorneys.



9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 COURT MEMO

2

3

4

5 State of Missouri v. Darren Wilson

6

7

8 CERTIFICATE OF OFFICER AND

9 STATEMENT OF DEPOSITION CHARGES

10

11 DEPOSITION OF Grand Jury Volume XX

12

13 11/6/2014

14 Name and address of person or firm having custody of
15 the original transcript:

16

17 St. Louis County Prosecuting Office

18 100 South Central, 2nd floor

19 Clayton, MO 63105

20

21

22

23

24

25

1 ORIGINAL TRANSCRIPT TAXED IN FAVOR OF:

2

3 St. Louis County Prosecuting Office

4 100 South Central, 2nd floor

5 Clayton, MO 63105

6 Total:

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 Upon delivery of transcripts, the above
2 charges had not been paid. It is anticipated
3 that all charges will be paid in the normal course
4 of business.

5 GORE PERRY GATEWAY & LIPA REPORTING COMPANY
6 515 Olive Street, Suite 700
7 St. Louis, Missouri 63101

8 IN WITNESS WHEREOF, I have hereunto set

9 STATEMENT OF DEPOSITION CHARGES

10 my hand and seal on this _____ day of _____

11 Commission expires

12 _____

13 Notary Public

14

15

16

17

18

19

20

21

22

23

24

25